

1 IN THE UNITED STATES DISTRICT COURT  
2 FOR THE MIDDLE DISTRICT OF PENNSYLVANIA  
3 HARRISBURG DIVISION

3 TAMMY KITZMILLER, et al., : CASE NO.  
4 Plaintiffs : 4:04-CV-02688  
5 vs. :  
6 DOVER SCHOOL DISTRICT, : Harrisburg, PA  
7 Defendant : 19 October 2005  
8 .....: 1:35 p.m.

9  
10 TRANSCRIPT OF CIVIL BENCH TRIAL PROCEEDINGS  
11 TRIAL DAY 12, AFTERNOON SESSION  
12 BEFORE THE HONORABLE JOHN E. JONES, III  
13 UNITED STATES DISTRICT JUDGE

14 APPEARANCES:

15 For the Plaintiffs:

16 Eric J. Rothschild, Esq.  
17 Thomas B. Schmidt, III, Esq.  
18 Stephen G. Harvey, Esq.  
19 Pepper Hamilton, L.L.P.  
20 3000 Two Logan Square  
21 18th & Arch Streets  
22 Philadelphia, PA 19103-2799  
23 (215) 380-1992

24 For the Defendant:

25 Patrick Gillen, Esq.  
Robert J. Muise, Esq.  
Richard Thompson, Esq.  
The Thomas More Law Center  
24 Franklin Lloyd Wright Drive  
P.O. Box 393  
Ann Arbor, MI 48106  
(734) 930-7145

Court Reporter:

Wesley J. Armstrong, RMR  
Official Court Reporter  
U.S. Courthouse  
228 Walnut Street  
Harrisburg, PA 17108  
(717) 542-5569

1 APPEARANCES (Continued)

2 For the American Civil Liberties Union:

3 Witold J. Walczak, Esq.  
4 American Civil Liberties Union  
5 313 Atwood Street  
6 Pittsburgh, PA 15213  
7 (412) 681-7864  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

I N D E X  
Kitzmiller vs. Dover Schools  
4:04-CV-2688  
Trial Day 12, Afternoon Session  
19 October 2005

PROCEEDINGS

Page

DEFENSE WITNESSES

Dr. Michael Behe:	
Continued cross by Mr. Rothschild	4
Redirect by Mr. Muise	74
Recross by Mr. Rothschild	99

1 PROCEEDINGS

2 THE COURT: Be seated, please. All right,  
3 good afternoon to all. We continue with  
4 Mr. Rothschild's cross examination.

5 CONTINUED CROSS EXAMINATION

6 BY MR. ROTHSCHILD:

1 7 Q. Good afternoon, Professor Behe.

8 A. Good afternoon, Mr. Rothschild.

2 9 Q. Let's go on to immune system. That's  
10 another biochemical system that you argued  
11 in Darwin's Black Box and you argue in your  
12 testimony is irreducibly complex, is that  
13 correct?

14 A. Yes.

3 15 Q. And I'm correct in understanding that you  
16 have not written any peer reviewed articles in  
17 scientific journals arguing that the immune  
18 system is in fact irreducibly complex?

19 A. No. My argument is in my book, that's  
20 right.

4 21 Q. And nobody else has written any articles in  
22 peer reviewed scientific journals arguing that  
23 the immune system is irreducibly complex?

24 A. Nobody has used those terms, but there are  
25 articles which speak of the requirement for

1 multiple parts.

5 2 Q. They discuss what the immune system is  
3 comprised of?

4 A. Yes, in terms of it needing different  
5 several different parts.

6 6 Q. But those are not articles that argue for  
7 the irreducible complexity of or do not argue  
8 that the immune system can't evolve because it  
9 is irreducibly complex?

10 A. No, they don't argue that.

7 11 Q. Similarly you have not written any articles  
12 in peer reviewed scientific journals arguing  
13 that the immune system is intelligently  
14 designed?

15 A. Yes. Similarly that argument is in my  
16 book, so no, I didn't do it in peer reviewed  
17 articles.

8 18 Q. And nobody else has either?

19 A. That's correct.

9 20 Q. Is it the case that the AIDS virus is  
21 irreducibly complex?

22 A. I think that's something that would have  
23 to be argued on the basis of the evidence.

10 24 Q. You don't have a position on that?

25 A. No, I don't.

11 1 Q. What about anthrax?

2 A. I don't on that either.

12 3 Q. What about the Type 3 secretory system?

4 Is that an irreducibly complex system?

5 A. I would have to, I do not right now have  
6 a position on that. So, no, I do not argue  
7 that.

13 8 Q. Okay. I mean, are there some pathogens

9 that are irreducibly complex?

10 A. Well, I can't think of any right now, but  
11 there certainly may be. I don't rule it out.

14 12 Q. Isn't it the case, Professor Behe, that we

13 only have about four irreducibly complex systems

14 and the rest are not? I mean, you've got the

15 cilium, the bacterial flagellum, the immune

16 system, the blood clotting cascade, is that it?

17 A. No, I disagree. I think probably many

18 other systems are, but I always want to be

19 careful in my claims and so I stick to examples

20 that I think are the best examples.

15 21 Q. But you don't know about any others besides

22 the four written in your book?

23 A. I don't -- well, I certainly have my

24 thoughts on the matter.

16 25 Q. Okay.

1       A. And I certainly that that irreducible  
2       complexity is a much, much better problem than,  
3       and it's not just confined to the examples in  
4       Darwin's Black Box. But in order to be as  
5       careful as I can I just talk about the best  
6       examples that I know of.

17      7       Q. And so the examples that I asked you about,  
8       which are harmful systems like the AIDS virus or  
9       harm up to us anyway, AIDS virus, Type 3  
10      secretory system, anthrax, those are the kinds  
11      of systems that may very well be irreducibly  
12      complex?

13      A. They may well be, yes.

18      14      Q. And if they are and the immune system is  
15      also irreducibly complex, they're in sort of  
16      mortal opposition to each other?

17      A. Well, the phrase mortal opposition is not a  
18      scientific term. One can have a philosophical  
19      position on that I suppose, but I do not think  
20      that, I certainly wouldn't use that phraseology  
21      in describing it.

19      22      Q. But they are in opposition to each other,  
23      one's purpose is to destroy the other?

24      A. Now you're using the word purpose in a  
25      non-scientific sense. I think you're using

1 it more in terms of what, more a philosophical  
2 sense. Certainly the AIDS virus -- pardon?

20 3 Q. I'm not. I'm asking purpose in the sense  
4 of its function. The immune system's function  
5 is to combat these pathogens' function, correct?

6 A. The purpose of the immune system, yes, is  
7 to defend an organism against pathogens. I  
8 would not say that the purpose of the AIDS virus  
9 is to destroy the immune system. I think its  
10 purpose, if anything one could say that its  
11 purpose is to replicate. But even that I would  
12 be a little uncomfortable with.

21 13 Q. So acquired immune deficiency disease is  
14 not combatting the immune system?

15 A. You're asking if I thought that was the  
16 purpose of the AIDS virus.

22 17 Q. Its function.

18 A. I do not think that is its function, no.

23 19 Q. But in any event you do agree that the  
20 immune system, its function is to combat these  
21 kind of viruses?

22 A. Yes. Among other things, yes.

24 23 Q. Can you explain why would the intelligent  
24 designer design one irreducibly complex system  
25 and then another one to combat it or fight it?



1       A. The question of the intentions of the  
2 designer is a question that is separate from  
3 and beyond the question of whether there is  
4 design. We can know something that is designed  
5 without knowing what the designer intended for  
6 it. If I might just give an example from our  
7 everyday world, we can look at something like a  
8 gun or some such thing, realize immediately that  
9 it was designed, and not know what the purpose  
10 of it is for.

25 11       Q. But we do know a lot about the intentions,  
12 desires, motives, needs of the intelligent  
13 actors who designed those guns, correct?

14       A. I'm going to say I don't think so.  
15 Certainly we know that if a gun were made by  
16 a human being and we know, we have other  
17 information from other sources about that, so  
18 from that other information we can certainly  
19 deduce, make good arguments about what those  
20 might be, but the case remains that that is  
21 separate information, separate from the  
22 structure of the gun, and we decide that the gun  
23 is designed by looking at the structure of it,  
24 or get away from guns, just any mechanical  
25 complex object.

26     1       Q. We'll return to that in a little while.  
2       Let's turn back to Darwin's Black Box and  
3       continue discussing the immune system. If you  
4       could turn to page 138? Matt, if you could  
5       highlight the second full paragraph on page 138?  
6       What you say is, "We can look high or we can  
7       look low in books or in journals, but the result  
8       is the same. The scientific literature has no  
9       answers to the question of the origin of the  
10      immune system." That's what you wrote, correct?

11      A. And in the context that means that the  
12      scientific literature has no detailed testable  
13      answers to the question of how the immune system  
14      could have arisen by random mutation and natural  
15      selection.

27     16      Q. Now, you were here when Professor Miller  
17      testified?

18      A. Yes.

28     19      Q. And he discussed a number of articles on  
20      the immune system, correct?

21      A. Yes, he did.

29     22      Q. May I approach, Your Honor?

23            THE COURT: You may.

30     24      Q. I'm just going to quickly identify what  
25      these articles are. Exhibit P-256,

1 "Transposition of HAT elements, links  
2 transposable elements, and VDJ recombination,"  
3 that's an article in Nature by Zau, et al.  
4 P-279, an article in Science, "Similarities  
5 between initiation of VDJ recombination and  
6 retroviral integration," Gent, et al.

7 "VDJ recombination and RAG mediated  
8 transposition in yeast," P-280, that's in  
9 Molecular Cell by Platworthy, et al. P-281  
10 in the EMBO Journal, "En vivo transposition  
11 mediated VDJ recombinates in human T  
12 lymphocytes," Messier, et al, spelled like the  
13 hockey player. P-283, it says PLOS Biology,  
14 do you recognize that journal title?

15 A. Yes. It stands for Public Library of  
16 Science.

31 17 Q. And that's an article by Kapitnov and  
18 Gerka, RAG 1-4 and VDJ recombination, signal  
19 sequences were derived from transposons."  
20 P-747, an article in Nature, "Implications  
21 of transposition mediated by VDJ recombination  
22 proteins, RAG 1 and RAG 2, for origins of  
23 antigen specific immunities," Eglewall, et al.  
24 P-748 in The Proceedings of the National Academy  
25 of Science, "Molecular evolution of vertebrate

1 immune system," Bartle, et al., and now finally  
2 Exhibit P-755 in Blood , "VDJ recombines  
3 mediated transposition with the BCL 2 gene  
4 to the IGH locus and follicular lymphoma."  
5 Those were the articles in peer reviewed  
6 scientific journals that were discussed by  
7 Mr. Miller which you listened in on, correct?

8 A. I recognize most of them. Some of them I  
9 don't recall, but that's fine.

32 10 Q. They discuss the transposing hypothesis?

11 A. Yes, they do.

33 12 Q. And the kind of mutation being discussed in  
13 here is a transposition in most of these?

14 A. You have to -- it depends on how you look  
15 at it. In many of them they're not actually  
16 discussing mutation. They're discussing  
17 similarities and sequences between parts of the  
18 immune system in vertebrates and some elements  
19 of transposons.

34 20 Q. But it does discuss the transpositions,  
21 correct?

22 A. It does, yes.

35 23 Q. In many of the articles, maybe all of them?

24 A. That's correct.

36 25 Q. You indicated earlier when we were

1 discussing your paper with Dr. Snoke that  
2 transpositions are a kind of mutation, correct?

3 A. Yes, they are.

37 4 Q. Now, you on Monday showed the court, or  
5 maybe it was Tuesday you showed the court that  
6 you had done a literature search of articles on  
7 the immune system looking for the words "random  
8 mutation," correct?

9 A. Yes.

38 10 Q. But you didn't search for transpositions,  
11 is that correct?

12 A. That's correct.

39 13 Q. And that word appears in a number of the  
14 titles here?

15 A. It does, but the critical difference is the  
16 word random. There's lots of mutations, and  
17 it's entirely possible that intelligent design  
18 or some process of the development of life can  
19 occur by changes in DNA, but the critical factor  
20 is are such changes random, are they not random,  
21 so just there are also many occurrences of the  
22 word mutation, but it was not just mutation that  
23 is the critical element of Darwinian theory. It  
24 is random mutation.

40 25 Q. But in modern Darwinian theory

1 transposition is one of the kind of mutations  
2 that natural selection acts upon, correct?

3 A. It is a mutation, and natural selection  
4 can act upon it.

41 5 Q. So the word mutation didn't show up, or  
6 random mutation, but a form of mutation that  
7 natural selection can act upon appears  
8 throughout these articles, correct?

9 A. Yes, that is right.

42 10 Q. And you also noted that natural selection  
11 does not appear in these articles?

12 A. That's correct.

43 13 Q. The selectability of the immune function,  
14 that's not really a controversial proposition,  
15 is it?

16 A. I'm sorry? What do you mean?

44 17 Q. The selectability of the immune system  
18 that that is a selectable function, I mean  
19 that's not very controversial, is it? It's a  
20 good thing, right?

21 A. If you mean is it beneficial for an  
22 organism to have one, I'm going to have to  
23 say that it's general, it's good for systems  
24 that, for organisms that depend on it to have  
25 one. But when you're thinking about evolution,

1 one of the things you have to think about to  
2 have a rigorous understanding of it is what it  
3 is changing from and what is it changing to.  
4 The question is is a particular mutation that  
5 happens going to have a net beneficial effect or  
6 a net detrimental effect is an open question,  
7 and in any step one can look at, that question  
8 arises very pointedly, is this going to help or  
9 is it going to hurt.

45 10 Q. But these articles do discuss immune  
11 systems that are different from the vertebrate  
12 immune system, correct?

13 A. Which one is that, sir?

46 14 Q. The articles about the transposon  
15 hypothesis.

16 A. I think most of them are trying to look at  
17 connections between vertebrate immune systems  
18 and precursor elements.

47 19 Q. And those precursors have some form of  
20 immune system, though not as robust as the  
21 vertebrate immune systems?

22 A. I'm not sure what you're referring to, sir.

48 23 Q. You said they're referring to precursors,  
24 those precursors are precursors that have immune  
25 systems, correct? Just not the kind we have?

1       A. Well, I don't think so. Transposons  
2       are thought to have arisen from I think  
3       bacterial-like elements which do not have  
4       immune systems, and so I'm not quite sure  
5       how to take your question.

49      6       Q. We'll get back to that. Now, these  
7       articles rebut your assertion that scientific  
8       literature has no answers on the origin of the  
9       vertebrate immune system?

10      A. No, they certainly do not. My answer,  
11      or my argument is that the literature has no  
12      detailed rigorous explanations for how complex  
13      biochemical systems could arise by a random  
14      mutation and natural selection and these  
15      articles do not address that.

50      16      Q. So these are not good enough?

17      A. They're wonderful articles. They're very  
18      interesting. They simply just don't address  
19      the question that I pose.

51      20      Q. And these are not the only articles on  
21      the evolution of vertebrate immune system?

22      A. There are many articles.

52      23      Q. May I approach?

24      THE COURT: You may.

53      25      Q. Professor Behe, what I have given you has



1     been marked Plaintiff's Exhibit 743.  It  
2     actually has a title, "Behe immune system  
3     articles," but I think we can agree you didn't  
4     write these?

5     A.  I'll have to look through.  No, I did not.

54  6     Q.  And there are fifty-eight articles in here  
7     on the evolution of the immune system?

8     A.  Yes.  That's what it seems to say.

55  9     Q.  So in addition to the, some of these I  
10    believe overlap with the eight that I previously  
11    identified that Dr. Miller had talked about, so  
12    at a minimum fifty new articles?

13    A.  Not all of them look to be new.  This one  
14    here is from 1991 that I opened to, I think it's  
15    under tab number 3, it's entitled "Evidence  
16    suggesting an evolutionary relationship between  
17    transposable elements and immune system  
18    recombination sequences."  I haven't seen this  
19    article, but I assume that it's similar to the  
20    ones I presented and discussed in my testimony  
21    yesterday.

56  22    Q.  And when I say new, I just meant different  
23    from the eight that I identified with  
24    Dr. Miller.

25    A.  Yes, that's right.

57 1 Q. A minimum of fifty, and you're right  
2 they're not all new. Some go back as early as  
3 1971, and they go right through 2005, and in  
4 fact there's a few that are dated 2006, which  
5 I guess would indicate a forthcoming  
6 publication.

7 A. I assume so.

58 8 Q. Okay. So there's at least fifty more  
9 articles discussing the evolution of the immune  
10 system?

11 A. And midpoint I am, I certainly haven't had  
12 time to look through these fifty articles, but I  
13 still am unaware of any that address my point  
14 that the immune system could arise or that  
15 present in a detailed rigorous fashion a  
16 scenario for the evolution by random mutation  
17 and natural selection of the immune system.

59 18 Q. I think you said in your deposition you  
19 would need a step-by-step description?

20 A. Where in my deposition did I say that?

60 21 Q. Do you remember saying that?

22 A. I probably said something like that, but  
23 I would like to see it.

61 24 Q. Is that your position today that these  
25 articles aren't good enough, you need to see

1 a step-by-step description?

2 A. These articles are excellent articles I  
3 assume. However, they do not address the  
4 question that I am posing. So it's not that  
5 they aren't good enough. It's simply that they  
6 are addressed to a different subject.

62 7 Q. And I'm correct when I asked you, you would  
8 need to see a step-by-step description of how  
9 the immune system, vertebrate immune system  
10 developed?

11 A. Not only would I need a step-by-step,  
12 mutation by mutation analysis, I would also  
13 want to see relevant information such as what  
14 is the population size of the organism in which  
15 these mutations are occurring, what is the  
16 selective value for the mutation, are there any  
17 detrimental effects of the mutation, and many  
18 other such questions.

63 19 Q. And you haven't undertaken to try and  
20 figure out those?

21 A. I am not confident that the immune system  
22 arose through Darwinian processes, and so I do  
23 not think that such a study would be fruitful.

64 24 Q. It would be a waste of time?

25 A. It would not be fruitful.

65 1 Q. And in addition to articles there's also  
2 books written on the immune system?

3 A. A lot of books, yes.

66 4 Q. And not just the immune system generally,  
5 but actually the evolution of the immune system,  
6 right?

7 A. And there are books on that topic as well,  
8 yes.

67 9 Q. I'm going to read some titles here. We  
10 have Evolution of Immune Reactions by Sima and  
11 Vetvicka, are you familiar with that?

12 A. No, I'm not.

68 13 Q. Origin and Evolution of the Vertebrate  
14 Immune System, by Pasquier. Evolution and  
15 Vertebrate Immunity, by Kelso. The Primordial  
16 Vrm System and the Evolution of Vertebrate  
17 Immunity, by Stewart. The Phylogenesis of  
18 Immune Functions, by Warr. The Evolutionary  
19 Mechanisms of Defense Reactions, by Vetvicka.  
20 Immunity and Evolution, Marchalonias.  
21 Immunology of Animals, by Vetvicka. You need  
22 some room here. Can you confirm these are books  
23 about the evolution of the immune system?

24 A. Most of them have evolution or related  
25 words in the title, so I can confirm that,

1 but what I strongly doubt is that any of these  
2 address the question in a rigorous detailed  
3 fashion of how the immune system or irreducibly  
4 complex components of it could have arisen by  
5 random mutation and natural selection.

69 6 Q. Or transposition and natural selection?

7 A. Or transposition is a form of mutation, so  
8 when I say random mutation, that includes that,  
9 yes.

70 10 Q. Okay. Even though we have all these  
11 articles we have seen discussing the  
12 transpositions and the transposon hypothesis?

13 A. Well, again as I have tried to make clear  
14 in my testimony yesterday, often times people  
15 when they're working under the aegis of a theory  
16 simply assume some component of it, and my  
17 example of that was the ether theory of the  
18 propagation of light. All of the physicists  
19 of the relevant era, the late 19th century,  
20 including the most eminent ones, thought that  
21 that happened and they thought that ether was  
22 absolutely required by their theory, but it had  
23 turned out later not to exist. And so as  
24 somebody who's not working within a Darwinian  
25 framework, I do not see any evidence for the

1 occurrence of random mutation and natural  
2 selection.

71 3 Q. Let me give you some space there.

4 A. Thank you.

5 (Brief pause.)

72 6 Q. There's also books on the immune system  
7 that have chapters on the evolution of the  
8 immune system?

9 A. Yes, and my same comment would apply to  
10 those.

73 11 Q. I'm just going to read these titles, it  
12 sounds like you don't even need to look at them?

13 A. Please do go ahead and read them.

74 14 Q. You've got Immune System Accessory Cells,  
15 Fornusek and Vetvicka, and that's got a chapter  
16 called "Evolution of Immune Sensory Functions."  
17 You've got a book called The Natural History of  
18 the Major Histocompatibility Complex, that's  
19 part of the immune system, correct?

20 A. Yes.

75 21 Q. And here we've got chapter called  
22 "Evolution." Then we've got Fundamental  
23 Immunology, a chapter on the evolution of  
24 the immune system. A lot of writing, huh?

25 A. Well, these books do seem to have the

1 titles that you said, and I'm sure they have  
2 the chapters in them that you mentioned as well,  
3 but again I am quite skeptical, although I  
4 haven't read them, that in fact they present  
5 detailed rigorous models for the evolution of  
6 the immune system by random mutation and natural  
7 selection.

76 8 Q. You haven't read those chapters?

9 A. No, I haven't.

77 10 Q. You haven't read the books that I gave you?

11 A. No, I haven't. I have read those papers  
12 that I presented though yesterday on the immune  
13 system.

78 14 Q. And the fifty-eight articles, some yes,  
15 some no?

16 A. Well, the nice thing about science is that  
17 often times when you read the latest articles,  
18 or a sampling of the latest articles, they  
19 certainly include earlier results. So you get  
20 up to speed pretty quickly. You don't have to  
21 go back and read every article on a particular  
22 topic for the last fifty years or so.

79 23 Q. And all of these materials I gave you and,  
24 you know, those, including those you've read,  
25 none of them in your view meet the standard you

1 set for literature on the evolution of the  
2 immune system? No scientific literature has no  
3 answers to the question of the origin of the  
4 immune system?

5 A. Again in the context of that chapter, I  
6 meant no answers, no detailed rigorous answers  
7 to the question of how the immune system could  
8 arise by random mutation and natural selection,  
9 and yes, in my, in the reading I have done I  
10 have not found any such studies.

80 11 Q. Let me see if I can summarize the  
12 intelligent design project. You've studied peer  
13 reviewed articles about the structure and  
14 function of the cell, correct?

15 A. Yes.

81 16 Q. And you conclude from them that certain  
17 structures are irreducibly complex that could  
18 not have evolved through natural selection, and  
19 therefore are intelligently designed?

20 A. I conclude from them that we see very  
21 detailed molecular machinery in the cell, that  
22 it strongly looks like a purposeful arrangement  
23 of parts, that in fact a purposeful arrangement  
24 of parts is a hallmark of intelligent design. I  
25 surveyed the literature and I see no Darwinian



1 explanations for such things. And when one  
2 applies one's own reasoning to see how such  
3 things would be addressed within a Darwinian  
4 framework it's very difficult to see how they  
5 would, and so one concludes that one  
6 explanation, Darwinian processes, doesn't seem  
7 to have a good answer, but that another  
8 explanation, intelligent design, does seem to  
9 fit better.

82 10 Q. And that conclusion tells you design is not  
11 one that's being asserted by the people who  
12 wrote the articles about the structure and  
13 function of the cell?

14 A. That's correct.

83 15 Q. And as we discussed before, one, a  
16 conclusion that many have actively disagreed  
17 with?

18 A. That's correct, too.

84 19 Q. And you stated that if the natural  
20 mechanism is to be accepted, its proponents  
21 must publish or perish?

22 A. I'm sorry.

85 23 Q. And then you stated in the Darwin's Black  
24 Box that, "If the natural mechanism is to be  
25 accepted, its proponents must publish or

1 perish."

2 A. I'm sorry, can I see that phrase?

86 3 Q. Yes, could you go to page 185 and 186 in  
4 the chapter "Publish or Perish"?

5 A. Yes. Okay, and what are you referring to  
6 here, sir?

87 7 Q. You stated in this book that on the subject  
8 of molecular evolution the advocates of the  
9 natural mechanism, the Darwinian mechanism, must  
10 publish or perish, correct?

11 A. I'm hanging up on the word natural  
12 mechanism. Where does that occur? I don't  
13 see that.

88 14 Q. The Darwinian mechanism?

15 A. Okay, Darwinian mechanism. Okay, yes,  
16 that's correct.

89 17 Q. You conclude the chapter called "Publish or  
18 Perish" by saying, "In effect, the theory of  
19 Darwinian molecular evolution has not published,  
20 and so it should perish," right?

21 A. That's correct, yes.

90 22 Q. And then all these hard working scientists  
23 publish article after article over years and  
24 years, chapters and books, full books,  
25 addressing the question of how the vertebrate

1 immune system evolved, but none of them are  
2 satisfactory to you for an answer to that  
3 question?

4 A. Well, see, that again is an example of  
5 confusing the different meanings of evolution.  
6 As we have seen before, evolution means a number  
7 of things, such as change over time, common  
8 descent, gradualism and so on. And when I say  
9 Darwinian evolution, that is focusing exactly  
10 on the mechanism of natural selection. And none  
11 of these articles address that.

91 12 Q. Again at the same time you don't publish  
13 any peer reviewed articles advocating for the  
14 alternative, intelligent design?

15 A. I have published a book, or -- I have  
16 published a book discussing my ideas.

92 17 Q. That's Darwin's Black Box, correct?

18 A. That's the one, yes.

93 19 Q. And you also propose tests such as the one  
20 we saw in "Reply to My Critics" about how those  
21 Darwinians can test your proposition?

22 A. Yes.

94 23 Q. But you don't do those tests?

24 A. Well, I think someone who thought an idea  
25 was incorrect such as intelligent design would

1 be motivated to try to falsify that, and  
2 certainly there have been several people who  
3 have tried to do exactly that, and I myself  
4 would prefer to spend time in what I would  
5 consider to be more fruitful endeavors.

95 6 Q. Professor Behe, isn't it the case that  
7 scientists often propose hypotheses, and then  
8 set out to test them themselves rather than  
9 trusting the people who don't agree with their  
10 hypothesis?

11 A. That's true, but hypothesis of design is  
12 tested in a way that is different from a  
13 Darwinian hypotheses. The test has to be  
14 specific to the hypothesis itself, and as I  
15 have argued, an inductive hypothesis is argued  
16 or is supported by induction, by example after  
17 example of things we see that fit this  
18 induction.

96 19 Q. We'll return to the induction in a few  
20 minutes.

21 A. Yes, sir. Mr. Rothschild, would you like  
22 your books back? They're heavy.

97 23 Q. Help me get to sleep tonight.

24 A. Thank you.

25 (Brief pause.)

98 1 Q. Now, you raised a couple of other areas  
2 where the theory of evolution or science  
3 generally doesn't have complete answers,  
4 correct? I'll give one example, that's the  
5 evolution of the phenomenon of sexual  
6 reproduction.

7 A. Yes.

99 8 Q. And you don't claim to be an expert on the  
9 issue of sexual reproduction, or the evolution  
10 of sexual reproduction, and we're trying to  
11 afford all puns here.

12 A. No, I do not.

100 13 Q. And you have no explanation for how or why  
14 the phenomenon of sexual reproduction was  
15 intelligently designed?

16 A. No, I don't have an explanation for that  
17 either, no.

101 18 Q. Then you also brought up the subject of  
19 origins of life, and I think we can agree that  
20 there are many, many, many unanswered questions  
21 on that subject, correct?

22 A. Yes, I certainly can agree to that, and  
23 it makes a person who is not presuming an  
24 unintelligent framework to look at that with  
25 great suspicion.

102 1 Q. Intelligent design has not explained how  
2 the first biological life arose on earth, has  
3 it?

4 A. In the sense that it has not proposed a  
5 step-by-step pathway whereby that happens, but  
6 I think an excellent case can be made, although  
7 I did not do so myself in my book, that in fact  
8 the origin of the first life, since from what we  
9 know is a cell is the smallest free living  
10 organism that we know of and is a very complex  
11 object and has purposeful arrangement of parts,  
12 I think has, a strong argument could be made  
13 that in fact intelligence was needed in the  
14 origin of life.

103 15 Q. But you haven't argued that?

16 A. I have not.

104 17 Q. You have not written any peer reviewed  
18 articles on it?

19 A. No.

105 20 Q. And nobody has written any peer reviewed  
21 articles on the, in the scientific journals on  
22 the intelligent design of the origin of life,  
23 correct?

24 A. Well, actually that's not quite right.

25 There's that article "Directed Panspermia" that

1 was discussed earlier by Francis Crick and  
2 Leslie Orgel. They in fact explicitly argue  
3 that one hypothesis one might advance is that  
4 the origin of life on earth is the result of  
5 intelligent activity, in their case they  
6 envisioned space aliens sending a rocket ship  
7 to earth. So I don't think your statement is  
8 quite true.

106 9 Q. So we'll just have to go back to the  
10 question of origin of life in the universe,  
11 which that wouldn't answer?

12 A. Well, as they explained in their article,  
13 nonetheless the question of the origin of life  
14 on earth is a historical question of great  
15 interest, and they speculated that conditions  
16 wherever life arose first might have been quite  
17 different from conditions on the earth, so that  
18 perhaps life could have arisen more easily  
19 there. And so they did not, though I certainly  
20 share your concern, they, Francis Crick and  
21 Leslie Orgel did not think that that particular  
22 question was particularly, that it ultimately  
23 couldn't be answered.

107 24 Q. And those arenas where life, where the  
25 origination of life might be easier to

1 accomplish, they were still talking about  
2 natural product, is that correct?

3 A. They were, yes, they had in mind a natural  
4 process, and I could take this opportunity to  
5 remind, to reiterate that intelligent design  
6 does not rule out natural processes.

108 7 Q. So per your article considers that highly  
8 implausible.

9 A. I certainly do consider it implausible.

109 10 Q. Professor Behe, you discussed a while  
11 yesterday the concept of the molecular clock.

12 A. Yes.

110 13 Q. That was in response to a point Ken Miller  
14 had made in his testimony?

15 A. That's correct.

111 16 Q. May I approach?

17 THE COURT: You may.

112 18 Q. Can you pull up the biochemical similarity  
19 slide? Now, these are, you can flip through  
20 them, these are slides that Dr. Miller used  
21 when discussing the issue that you then  
22 responded to with the molecular clock?

23 A. Yes.

113 24 Q. And let's look at the first page of that  
25 slide, Dr. Miller's, and he's discussing a



1 problem he has with Pandas, correct?

2 A. Yes, that's right.

114 3 Q. And looking at the first page, what he  
4 wrote on the slide, or actually quoted from  
5 Pandas is, "When measurements of the  
6 similarities between proteins were put side  
7 by side, the pattern that emerges contradicts  
8 the expectations based on Darwinism," and he  
9 goes on, in Pandas on page 37, "Notice that the  
10 cytochrome C of this insect exhibits the same  
11 degree of difference from organisms as diverse  
12 as humans, penguin, snapping turtle, tuna, and  
13 lamprey, and the reason this finding is so  
14 surprising is that it contradicts the Darwinian  
15 expectation."

16 And then on the next page it states, next  
17 page of his slide, I'm still quoting from page  
18 37, it states that, "Darwinism would predict a  
19 greater molecular distance from the insect to  
20 the amphibian and to the living fish, greater  
21 distance still as to reptiles, and greater than  
22 that to the mammal. Yet this pattern is not  
23 found." And then go on to the next slide, still  
24 quoting from Pandas on page 36, it says, "To use  
25 the classic Darwinian scenario, amphibians are

1 intermediate between fish and other land  
2 dwelling vertebras."

3         And turning to the next slide, quoting from  
4 page 140, it talks about corresponding to the  
5 expected transitions from fish to amphibian to  
6 reptile to mammal. And if you go to the last  
7 page of the slide, Dr. Miller's illustrations in  
8 an illustration of his own what the problem is,  
9 right? "Pandas misleads students as to the  
10 actual prediction of evolutionary theory by  
11 pretending that evolution predicts a linear  
12 sequence, tuna, frog, turtle, chicken, horse.  
13 Amphibians are intermediate between fish and  
14 birds and mammal," right?

15         A. Yes.

115 16         Q. And that's not what the Darwinian theory  
17 suggests, correct? It does not project that  
18 the sequence is in that order, linear, tuna,  
19 frog, turtle, chicken, horse, correct? That's  
20 not what Darwinian evolution states, correct?

21         A. You'll have to help me and tell me what  
22 Darwinian evolution does state.

116 23         Q. You understand Darwinian evolution to  
24 propose a tree in which animals of this kind  
25 are on a tree with a common ancestor, not linear

1 in this sequence, and if you could go to the  
2 page two prior, Matt? And just focusing on that  
3 tree, that's what evolutionary biologists who  
4 are working from the evolutionary theory, that's  
5 what they believe is the correct way to describe  
6 the phylogeny, correct?

7 A. I'm afraid this is using an extremely  
8 simplified diagram to make points which do  
9 not follow from it.

117 10 Q. Dr. Behe, I'm not asking about the timing.  
11 I just want to talk about the sequence, okay?  
12 And you would agree that what evolutionary  
13 theory predicts, forgetting about the timing and  
14 how the molecular clock works, is that the  
15 phylogeny is in that tree form and not tunas  
16 becoming frogs becoming chickens becoming  
17 horses, right? Instead it's common ancestry,  
18 right?

19 A. Certainly Darwinian theory predicts common,  
20 or posits common ancestry. The question that  
21 Pandas existing, is addressing however, is not  
22 that. It's why these proteins have the  
23 particular sequences they do.

118 24 Q. But when Pandas says to use the classic  
25 Darwinian scenario amphibians are intermediate

1 between fish and the other land dwelling  
2 vertebrates, that's not a correct  
3 characterization of the theory of evolution,  
4 is it?

5 A. No, that isn't, no.

119 6 Q. It isn't. And whatever the right answer is  
7 about the molecular clock, it has nothing to do  
8 with that statement, correct? It doesn't make  
9 that statement correct?

10 A. The molecular clock does not say that.  
11 That statement is not accurate.

120 12 Q. Matt, could you pull up pages 99 to 100 and  
13 highlight our favorite passage? That was the  
14 passage we spent some time on yesterday, "  
15 5intelligent design means that various forms of  
16 life began abruptly through an intelligent  
17 agency, with their distinctive features already  
18 intact, fish with fins and scales, birds with  
19 feathers, beaks, and wings, etc." You said a  
20 few things about this passage. One is you don't  
21 like it so much.

22 A. I certainly would have written it  
23 differently.

121 24 Q. You don't think it's an accurate  
25 representation of intelligent design?

1 A. I think intelligent design is described  
2 better elsewhere in the book.

122 3 Q. Okay, and you also testified that  
4 intelligent design has advanced beyond  
5 where it was with Pandas?

6 A. That's correct.

123 7 Q. And you also said -- Matt, if you could  
8 pull down highlighted text and highlight page  
9 99, or you can just look in your book Professor  
10 Behe, there we go, that you didn't read the  
11 graphic up here, Figure 4.4, to have anything  
12 to do with the issue of common descent, correct?

13 A. Yes, that's right. The way I read it, it  
14 was trying to describe what they perceived as  
15 the fossil record.

124 16 Q. Now, yesterday I asked you about the book  
17 Design of Life.

18 A. I had forgotten.

125 19 Q. The book the new version of Pandas to use a  
20 very colloquial term that Dr. Dembski is working  
21 on?

22 A. Yes.

126 23 Q. And that was the one where he said you were  
24 an author, but at least right now you're not,  
25 right?

1 A. That's right.

127 2 Q. Professor Behe, what I have given you is  
3 what we have marked as P-775, which is a chapter  
4 from the draft manuscript of Design of Life.  
5 This was produced to plaintiffs in this  
6 litigation, and you see it's got, this chapter  
7 is headed "The Fossil Record."

8 A. Yes.

128 9 Q. And if you flip to page 22 of that chapter?  
10 A. I'm sorry, page 52 did you say?

129 11 Q. 22.  
12 A. 22?

130 13 Q. The subchapter is headed "Sudden  
14 Emergence."  
15 A. Yes, I see that.

131 16 Q. Is that a term that you have heard used  
17 in the intelligent design community?  
18 A. Is it in Pandas?

132 19 Q. I'm asking you just based on your own  
20 experience.  
21 A. It's not that familiar, no.

133 22 Q. Some familiarity?  
23 A. I may have heard of it, but I can't, you  
24 know, say for sure.

134 25 Q. Okay. And what it says here, if we go to,

1 it says right under that heading, " 5there's a  
2 fourth option for explaining the gaps in the  
3 fossil record besides imperfection of the  
4 record, insufficient search, and punctuated  
5 equilibrium. There is also sudden emergence."  
6 And do you recall from our discussion yesterday  
7 there was a similar breakdown in Pandas on pages  
8 96 and 97?

9 A. Yes, I think they also gave four  
10 possibilities.

135 11 Q. Okay, and it says, "Explain the gaps in  
12 the fossil record by means of sudden emergence  
13 is to say that the gaps are real, that the  
14 discontinuities in the fossil record represent  
15 discontinuities in the history of life. Sudden  
16 emergence isn't just saying the transitional  
17 links containing major groups of organisms are  
18 absent from the fossil record. It's saying that  
19 the transitional links are absent, period. They  
20 never existed." That's what it says?

21 A. That's correct, that's what it says.

136 22 Q. And we had some back and forth yesterday  
23 about abrupt appearance of fossils as opposed  
24 to abrupt beginning of life or appearance of  
25 life, and this is pretty clear to take pains

1 to distinguish the two, isn't it?

2 A. Yes, it seems that that's exactly what  
3 they're trying to say.

137 4 Q. Okay. If you could turn to page 28 of the  
5 manuscript?

6 MR. MUISE: Your Honor, I'm going to object  
7 insofar as this document is being offered for  
8 the truth of the matter asserted. As his  
9 testimony already previously identified, he's  
10 not an author, he has no part in it. If he's  
11 going to be asking him to I guess to try to  
12 impeach something that may have been said, I'm  
13 not sure what the purpose is. It appears right  
14 now he's trying to offer it for the truth of the  
15 matter asserted inside, in this document, which  
16 is a draft that Dr. Behe has no part in taking.

17 MR. ROTHSCHILD: Dr. Padian would kill me if  
18 I introduced this for the truth of the matter  
19 asserted. I'm not suggesting that at all, Your  
20 Honor. It's for impeachment. He has made  
21 statements about the contents of Pandas and what  
22 it means and the development of intelligent  
23 design, and its for purposes of impeachment and  
24 that only.

25 MR. MUISE: Again, Your Honor, you've got a



1 draft document that has, he's had no part in it.  
2 How does that impeach what's the development of  
3 intelligent design? He's certainly had no part  
4 to contribute in this, to fix errors and  
5 corrections that may have been made, it's not  
6 used to establish anything other than he's  
7 trying to offer it to assert the truth that's in  
8 the document.

9 THE COURT: Well, I don't think he is  
10 offering it for the truth. I don't see that.  
11 So I can discard that as a reason. Certainly --

12 MR. ROTHSCHILD: May I offer one more?

13 THE COURT: Certainly -- go ahead.

14 MR. ROTHSCHILD: Dr. Behe, has made some  
15 pretty stark claims about what intelligent  
16 design is and isn't about. He made it about  
17 Pandas. He's just made it about intelligence  
18 design generally. It makes certain claims, it  
19 doesn't make other claims, and this document  
20 goes to that issue.

21 THE COURT: Well, you don't doubt the  
22 authenticity of the document, do you?

23 MR. MUISE: My understanding is it's a draft  
24 document. That's --

25 THE COURT: Well, it's more than a draft

1 document. It's a draft document of a -- well,  
2 it's a draft document to be sure, but it is a  
3 draft document of a succeeding volume, is it  
4 not, Of Pandas and People? We know that, don't  
5 we?

6 MR. MUISE: You know what, Your Honor? I'm  
7 not exactly sure if that's the case. I believe  
8 there was some discussion this may not even be  
9 for a high school level. I'm not sure, I mean,  
10 it's not Volume 3 of Pandas and people. I  
11 believe it has a different name. It's certainly  
12 a book that in develop Dr. Behe's had no part in  
13 the development of this particular book.

14 THE COURT: However, he said he might in the  
15 future.

16 MR. MUISE: He might in the future, but not  
17 right now. So what's in it right now has not  
18 relevant to what's right now.

19 THE COURT: Oh, I think it's highly  
20 relevant. No, I think that unless you can  
21 come up with something that calls into question  
22 the authenticity of it, and I don't think you  
23 can, I think what your argument there goes to  
24 exactly what it is, whether it in fact is a  
25 Volume 3 or not, the court is familiar enough

1 with what it is, having had meanderings on this  
2 in the course of the litigation that we're  
3 certainly familiar. I don't think there's any  
4 issue about what it is. There may be an issue  
5 as to its intended audience. I think to the  
6 extent that it is hearsay, it has a high degree  
7 of reliability.

8 I think it meets the test under Rule 807.  
9 I think it's proper for questioning.  
10 I don't take it for the truth. I'm not  
11 accepting it for the truth. Again this is a  
12 bench trial. I don't, I think it's not  
13 inappropriate for him to question. I will  
14 guard the record insofar as I will not allow  
15 Mr. Rothschild to simply read passages that are  
16 not related to questions, and I'll take your  
17 timely objections as I did with the other  
18 material in that regard. Do you want to say  
19 something else?

20 MR. MUISE: No.

21 MR. ROTHSCHILD: Your Honor, just for the  
22 record, this was produced through defendant's  
23 counsel while Dr. Dembski was still their  
24 expert.

25 THE COURT: Well, I'm well aware with how it

1 emerged, so we don't need to discourse about  
2 that.

3 MR. ROTHSCHILD: Matt, could you highlight  
4 the bottom paragraph through the Figure 6.8?

5 BY MR. ROTHSCHILD:

138 6 Q. This passage of the draft manuscript reads,  
7 "Sudden emergence holds that various forms of  
8 life began with their distinctive feature  
9 already intact, fish with fins and scales, birds  
10 with feathers and wings, animals with fur and  
11 mammary glands. Sudden emergence is the face  
12 value interpretation of the fossil record. It  
13 interprets the structural differences separating  
14 the major types of organisms in the fossil  
15 record as a generally true reflection of  
16 biological diversity and natural history."  
17 First of all, the use of the word "true" in  
18 science is somewhat problematic I think you  
19 have told us?

20 A. I don't think I have ever mentioned  
21 anything on that topic.

139 22 Q. And if we could look to the top part of  
23 this, sudden emergence through up to the mammary  
24 glands, I'm going to ask Matt to pull up a  
25 comparison we made between Pandas and this

1 document, and what we see is intelligent design  
2 means has been removed and we've got, "sudden  
3 emergence holds," taken out the words  
4 intelligent agency, and it's not just fish and  
5 birds that came out already intact but also  
6 mammals. But it's a pretty similar statement,  
7 isn't it, Professor Behe?

8 A. The writing is similar. I think this is an  
9 improvement to tell you the truth, because now  
10 it doesn't say intelligent design means that.  
11 Intelligent design does not mean that.

140 12 Q. Sudden emergence means that?

13 A. Yes. That's a separate idea. It is not  
14 intelligent design.

141 15 Q. I thought you weren't familiar with that  
16 idea.

17 A. I'm sorry?

142 18 Q. I thought you weren't familiar with that  
19 idea that relates to the intelligent design  
20 movement.

21 A. Well, I'm reading the text there, so that's  
22 how I became familiar.

143 23 Q. In your own mind it's a different concept?

24 A. It most certainly is. Like in saying  
25 intelligent design, the core claim is that

1 intelligence was involved in the process of  
2 producing something. But if you want to make  
3 other claims about it, like how it was done,  
4 when it was done and so on, then you need  
5 further evidence, and it seems here, it looks  
6 like from my brief reading of the text that they  
7 are making a further claim beyond the claim of  
8 intelligent design, and properly they're calling  
9 it something else here. It was incorrect in the  
10 first edition to call it intelligent design, but  
11 here they call it by some other name. And so I  
12 see no difficulty in saying that sudden  
13 emergence means this. I just point out that it  
14 does not say that intelligent design means that.

144 15 Q. Hopefully we won't be back in a couple of  
16 years for the sudden emergence trial. But this  
17 clearly does as the passage we read --

18 THE COURT: Not on my docket, let me tell  
19 you.

145 20 Q. Related cases, Your Honor? Going back to  
21 the full text that we were looking at before we  
22 did the comparison, this surely is a direct  
23 challenge to the proposition of common descent,  
24 isn't it?

25 A. Yes. It's a direct challenge, yes, that's

1 correct.

146 2 Q. And it says, "In making that challenge  
3 accordingly, the history of life is properly  
4 to be represented as shown in Figure 6-8."

5 Do you see that?

6 A. Yes, I do.

147 7 Q. Matt, if you could turn to the next page  
8 and highlight that first indication there?

9 It says here Figure 6-8, insert Figure 4-4 on  
10 page 99 of Pandas and that's the figure that we  
11 looked at before in Pandas on which, with the  
12 bars?

13 A. Okay.

148 14 Q. Right? Okay, that's the figure, the same  
15 figure 4.4 which they're saying is 6.8?

16 A. Yes, it looks to be the same.

149 17 Q. They're relying on that figure in support  
18 of their challenge to common descent, correct?

19 A. It seems that they're using a similar  
20 figure, perhaps even identical now, to support  
21 this claim.

22 MR. ROTHSCHILD: Your Honor, I have one last  
23 set of questions. I can proceed or --

24 THE COURT: We've been out about an hour.

25 How long is the line of questioning?

1 MR. ROTHSCHILD: I think it's in the half  
2 an hour --

3 THE COURT: All right, why don't we take a  
4 break at this point, I think that's probably  
5 appropriate, and we'll break for about twenty  
6 minutes, and then we'll pick it up with your  
7 last line of questioning at that point. All  
8 right? We'll be in recess.

9 (Recess taken at 2:36 p.m. Proceedings  
10 resumed at 3:03 p.m.)

11 THE COURT: Be seated, please. All right,  
12 Mr. Rothschild. Your next area?

13 CONTINUED CROSS BY MR. ROTHSCHILD:

150 14 Q. Thank you. Professor Behe, you've  
15 described your argument for intelligent  
16 design as having a positive argument that  
17 you call a logical inference or inductive  
18 reasoning, is that correct?

19 A. Yes, that's right.

151 20 Q. And inductive reasoning you testified is a  
21 form of scientific reasoning?

22 A. Yes.

152 23 Q. And you described that in your testimony as  
24 reasoning from what we do know to what we don't  
25 know, correct?



1 A. Yes.

153 2 Q. You would agree that inductive reasoning as  
3 science doesn't allow us to reason from what we  
4 do know to what we can't know, correct?

5 A. Nothing can allow us to reason to what we  
6 can't know by definition.

154 7 Q. And the inference or the inductive  
8 reasoning that you're arguing for is that  
9 when we see a system which is complex and  
10 functional, we have in our experience always  
11 found that such a thing was designed, correct?  
12 That's part of it?

13 A. Yes, that's part of it, and you have to  
14 remember that there is this quantitative aspect  
15 of the argument as well.

155 16 Q. And I'll get to that, but when we're  
17 talking about those things in our experience,  
18 you've used the examples of a mouse trap or  
19 Mt. Rushmore?

20 A. Yes.

156 21 Q. So those are things, systems we see, and  
22 in our experience have found are designed?

23 A. Yes.

157 24 Q. And from that inference, from that fact we  
25 can infer that when we see systems in the cell

1 that are complex and functional, we can infer  
2 that they were designed?

3 A. Yes. That's the argument.

158 4 Q. Okay. And you said again that the strength  
5 of the inference is quantitative, but again you  
6 haven't quantified it.

7 A. I have not put numbers on it, but one can  
8 kind of do intuitive judgments about these  
9 things.

159 10 Q. And when you say it's intuitive, you're  
11 sort of talking about just sort of intuitive  
12 probability?

13 A. Just looking at it and seeing how, looking  
14 and seeing how intricately the parts are, how  
15 intricate the parts are and how they fit  
16 together, so yes.

160 17 Q. And either yesterday or the day before I  
18 think you testified that the strength of an  
19 inference is the similarities from what we do  
20 know to what we're making inference to what we  
21 don't know, right?

22 A. Well, the similarities in the sense of the  
23 particular properties that the things share.  
24 For example, the motion of particles away from  
25 an explosion on earth such as a cannon ball and

1 motions away from each other in the Big Bang,  
2 yes.

161 3 Q. You've been doing so well, which I  
4 appreciate. So we can recognize that my  
5 keys, they look designed right?

6 A. Yes, they do.

162 7 Q. And therefore we can infer that my hand  
8 that's holding them is designed?

9 A. I'm sorry?

163 10 Q. Therefore we can infer that my hand, which  
11 is holding them, is also designed?

12 A. I'm not quite sure why you say therefore.

164 13 Q. Well, you said the inference, the inductive  
14 reasoning is that we see systems in our everyday  
15 experience we recognize as designed, and I think  
16 you agreed the key is an example of that.

17 A. Yes.

165 18 Q. And so from that we can infer to biological  
19 life that my hand, also pretty intricate, is  
20 also designed?

21 A. Well, a purposeful arrangement of parts,  
22 yes.

166 23 Q. And my watch, that's designed?

24 A. Yes.

167 25 Q. Therefore my eye is designed, sort of the

1 same, we can reason that my eye is designed?

2 A. That's not quite the way I would say it.

3 I would say I would look at all those mechanical

4 things like the watch, like even the keys and so

5 on, and say that all those in our experience

6 required intelligence in their production, and

7 therefore when we come to biological objects we

8 can use similar reasoning for those.

168 9 Q. And reason that my eye is designed?

10 A. I'm sorry?

169 11 Q. And reason, if I can reason that my watch

12 is designed, I can also reason that my eye is

13 designed?

14 A. Well, you can certainly reason that aspects

15 of it are, yes.

170 16 Q. And that was basically the argument that

17 Reverend Paley was making?

18 A. Yes, that's correct.

171 19 Q. You considered Reverend Paley to be making

20 a scientific argument?

21 A. Yes, I do. I'm sorry, let me just qualify

22 this. In his book Natural Theology William

23 Paley made a number of arguments and a number of

24 examples. Some of them were what I would

25 consider to be good scientific arguments, some

1 of them I would consider to be bad scientific  
2 arguments. Some are good theological arguments,  
3 some are bad theological arguments. So he made  
4 quite a different number of claims in his book.

172 5 Q. And just so we can be clear on what  
6 Reverend Paley did argue in those respects,  
7 I've printed off the internet a copy of Natural  
8 Theology.

9 A. Oh, really? Thank you.

173 10 Q. It's Exhibit P-751. And Your Honor, we  
11 don't have that on our system, so if you'd like  
12 to take a copy?

13 THE COURT: Thank you.

174 14 Q. You're welcome. And Professor Behe, if  
15 you could turn to page 141 out of the, on the  
16 printed version, which you can see in the  
17 right-hand corner?

18 A. Yes.

175 19 Q. And if you go down about halfway down the  
20 page he's talking about the senses of the  
21 animals, correct?

22 A. Yes.

176 23 Q. And I don't want to read everything into  
24 the record, but we can if you feel it's  
25 necessary. He's suggesting those must have

1     been designed, the eye for example?

2     A. Let me read that so I can --

177 3     Q. Sure.

4             (Brief pause.)

5     A. He's making a sort of argument there, yes,  
6     but I'm not sure exactly how to characterize it.

178 7     Q. Okay, but he's saying, he's talking about  
8     the sense of the animals and how difficult that  
9     would be to come together, correct?

10     A. Yes.

179 11     Q. And then he goes on and he says, "The  
12     senses are the hardest, but other aspects of  
13     the animals, joints and muscles and the prickles  
14     on a porcupine or a hedge hog, sheeps' fleece,"  
15     not quite as hard to explain as the senses, but  
16     still no good explanation for how they came  
17     together, right?

18     A. That's his argument, yes.

180 19     Q. And you also relate to that to plants  
20     correct? He says, "I can't really distinguish  
21     plants from animals in this respect," correct?

22     A. I haven't read it in a while, but I assume  
23     that's correct.

181 24     Q. I mean, if you look on, going on to the top  
25     of page 142, that's basically what he says,

1 right? "No less acceptable organization is  
2 found in plants than what came in animals."

3 A. Yes, that's correct.

182 4 Q. And then he concludes, and I think actually  
5 the way the printout here breaks up the chapter,  
6 or the chapter is actually -- no, I take that  
7 back. That is how it reads. It says, "Upon the  
8 whole, after all the schemes and struggles of a  
9 reluctant philosophy, the necessary resort is to  
10 a deity. The marks of design are too strong to  
11 be gotten over. Design must have had a  
12 designer. That designer must have been a  
13 person. That person is God." That's Reverend  
14 Paley's explanation for the formation of the  
15 senses of the animals, its physical attributes,  
16 and plant life as well, correct?

17 A. Yes. Reverend Paley is here making a  
18 theological argument, probably not much  
19 dissimilar to what Professor Kenneth Miller  
20 makes in his book Finding Darwin's God,  
21 referring from nature to something beyond  
22 nature, and certainly I think that's a valid  
23 form of reasoning, but it's not scientific  
24 reasoning.

183 25 Q. And when Dr. Miller did that in his book

1 Finding Darwin's God, he's quite careful to  
2 state that these are his personal and religious  
3 beliefs and nothing to do with science, correct?

4 A. I think that's what he says, and if he had  
5 said he was making a scientific argument, then  
6 he would not have inferred that the designer  
7 was God. He would have said that we see a  
8 purposeful arrangement of parts. However, we  
9 do not have the information necessary to  
10 conclude who the designer was.

184 11 Q. We're talking about Dr. Miller still?

12 A. Yes.

185 13 Q. And Reverend Paley doesn't make that kind  
14 of distinction, does he?

15 A. No, he does not. And I add that in my own  
16 testimony here I relied exclusively on his  
17 passage about the watch, which I do regard to  
18 be a very good example of inductive reasoning  
19 and one that I don't think anybody would  
20 disagree with, and -- well, I shouldn't say  
21 anybody, but most people would agree with, and  
22 that I think not even Reverend Paley would say  
23 that one would have to conclude upon stumbling  
24 across the watch that the designer was God. He  
25 would simply say that it had a designer.



186 1 Q. That is truly speculating, isn't it?

2 A. It is, but I think it's informed

3 speculation.

187 4 Q. From talking to Dr. Paley?

5 A. No, from reading his work.

188 6 Q. Reverend Paley? Reading that book, that

7 Natural Theology?

8 A. Yes. The early passages of it.

189 9 Q. But you're speculating about what he would

10 have been thinking and how he would have broken

11 up his arguments?

12 A. I am.

190 13 Q. Now, one big difference between the

14 mousetrap, Mt. Rushmore, my keys, and my watch,

15 and all the biological systems being described

16 in this trial is that none of those objects or

17 structures is alive.

18 A. That's correct.

191 19 Q. The term you used when talking about Robert

20 Pennock's computer organisms, they're not flesh

21 and blood, correct?

22 A. Yes.

192 23 Q. And unlike those biological systems, the

24 keys and the watch and Mt. Rushmore, they don't

25 reproduce or replicate, correct?

1       A. Yes. You have to take that into account  
2 when you're doing your reasoning about this.

193 3       Q. Okay. And actually Professor Pennock's  
4 organisms, they do replicate, correct?

5       A. Well, that's a metaphor. I do not think  
6 that they replicate in the sense of a biological  
7 organism.

194 8       Q. And you don't dispute that biological  
9 systems and organisms that replicate and  
10 reproduce exhibit changes from generation  
11 to generation?

12       A. They certainly do.

195 13       Q. We see it in our own children, correct?

14       A. Yes, we do.

196 15       Q. And as we discussed in the bacterial  
16 flagellum, they often have millions or in some  
17 cases billions of years to go through this  
18 process of replication of reproduction and have  
19 changes occur, correct?

20       A. Yes, that's correct.

197 21       Q. So when we try to figure out from the  
22 appearance of design in, how the appearance of  
23 design arises in biological systems, they have  
24 some opportunities to develop that don't exist  
25 for my keys or my watch, correct?

1       A. They certainly have properties of their  
2       own which would, you have to take into  
3       consideration. You have to take into  
4       consideration. They also have other things  
5       that you have to worry about because they can  
6       die and so on, which watches and so on don't do.

198    7       Q. But no longer, no matter how long my keys  
8       exist, they're not going to reproduce or  
9       replicate, correct?

10     A. That's right.

199    11     Q. And that really impairs the analogy,  
12     doesn't it?

13     A. I don't think so. I don't think so at all.  
14     As a matter of fact, I explicitly addressed that  
15     in Darwin's Black Box. I explicitly addressed  
16     it in other places. It certainly makes it, you  
17     certainly have to take that into consideration,  
18     but if you do and if you don't think that  
19     particular property affects the situation too  
20     much, then the reasoning continues to be the  
21     same.

200    22     Q. And that's your view about the phenomenon  
23     of reproduction and replication over hundreds of  
24     thousands, millions, or billions of years,  
25     depending on the organism?

1       A. In my paper with David Snoke one can try to  
2       calculate how those great time spans and great  
3       populations would affect the situation.

201 4       Q. And we've seen earlier today how that works  
5       out?

6       A. Yes.

202 7       Q. And you remember I asked you at your  
8       deposition about whether there was any  
9       specialized scientific discipline that goes  
10      into reasoning that objects we're familiar  
11      with in the world are intelligently designed.  
12      Do you remember me asking you that?

13      A. I think so, yes.

203 14      Q. And the first answer you gave me is yes,  
15      there's archaeology, right?

16      A. I believe I did, yes.

204 17      Q. And the argument that intelligent design  
18      proponents make is, you know, if the science  
19      archaeology can draw these kind of inferences  
20      about the design of objects, what's the big  
21      problem with intelligent design doing that?

22      A. Well, I think that the characterization  
23      would go that we see that we can infer design  
24      from physical objects. So we can argue that we  
25      can extend the induction to physical living

1 objects.

205 2 Q. Now, you're not an expert in archaeology?

3 A. No.

206 4 Q. In fact, you're not particularly familiar  
5 with what archaeologists do?

6 A. That's right.

207 7 Q. Matt, could you pull up the definition of  
8 archaeology that we got from Miriam Webster  
9 on-line and highlight that, please? And there's  
10 two definitions there. The scientific study of  
11 material remains, fossil relics, artifacts, and  
12 monuments, of past human life and activity. And  
13 second, remains of the culture of a people, and  
14 it makes sense to work with that first  
15 definition because we're talking about the  
16 scientific study, okay?

17 A. Yes, I see that.

208 18 Q. Okay, and before we delve into that  
19 definition it's obviously the case that the  
20 objects that archaeologists study don't  
21 replicate and reproduce the way biological  
22 life does?

23 A. Yes, that's right.

209 24 Q. So that's one difference, right?

25 A. That's correct.

210 1 Q. And in that definition about what the  
2 scientific study of archaeology is, and you  
3 don't dispute that as a good definition of  
4 archaeology, do you?

5 A. I would -- I don't dispute it, no.

211 6 Q. And it says the scientific study of  
7 material remains of past human life and  
8 activity. So archaeology is the science  
9 of studying a very particular designer,  
10 that's what that indicates, correct?

11 A. No, I think the definition is probably  
12 trying to distinguish it from the scientific  
13 study of remains of past perhaps animal life  
14 and plant life and so on.

212 15 Q. But the definition is very specific about  
16 the actors who it's studying?

17 A. Yes.

213 18 Q. Humans. Humans, right?

19 A. That's right, but of course archaeology is  
20 not the only scientific endeavor to look for  
21 science of intelligent activity.

214 22 Q. We're going to work with the comparison  
23 from archaeology to intelligent design. That  
24 was the first specialized science you described  
25 for me, right, Professor Behe?

1 A. Yes.

215 2 Q. Okay, so let's work with that. And so  
3 that's another distinction. Archaeology  
4 basically assumes the designer. Intelligent  
5 design says we don't know anything about who  
6 the designer is?

7 A. Archaeology assumes that whatever designed  
8 object they find, whatever object they can  
9 distinguish from non-designed objects, had a  
10 human designer.

216 11 Q. Okay, and intelligent design says nothing  
12 about who the designer is?

13 A. That's correct. It could be a human, it  
14 could be whatever.

217 15 Q. As we have discussed before, intelligent  
16 design of biological life by a human is you  
17 said implausible?

18 A. Well, let's make one distinction. I  
19 certainly think it's implausible that that  
20 accounts for the origin of biological features,  
21 but certainly scientists these days design lots  
22 of features by standard molecular biological  
23 methods and so forth.

218 24 Q. That's not what we're talking about with  
25 the bacterial flagellum, right?

1 A. That's correct.

219 2 Q. Let's discuss archaeology a little bit  
3 more. Matt, if you could pull up Exhibit 722?  
4 May I approach, Your Honor?

5 THE COURT: You may.

220 6 Q. And Professor Behe, this is a chapter from  
7 a book called Why Intelligent Design Failed: A  
8 Scientific Critique of the New Creationism. Do  
9 you see that?

10 A. Yes, I do.

221 11 Q. We're going to look at chapter 8 of that  
12 book, if you could pull up the chapter heading  
13 there? And it's titled The Explanatory Filter,  
14 Archaeology and Forensics, and it's written by  
15 somebody named Gary S. Hurd. Are you familiar  
16 with Dr. Hurd?

17 A. No, I am not.

222 18 Q. And I'm going to read to you from the  
19 contributors section, which is not part of the  
20 chapter, and if you'd like to inspect it please  
21 let me know, but it says, "Gary S. Hurd received  
22 his doctorate in anthropology from the  
23 University of California Irvine in 1976.  
24 Initially involved in medical..." --

25 MR. MUISE: Objection, Your Honor. It's



1 hearsay. I'm not sure what, again he's  
2 obviously trying to offer this for the truth.  
3 This isn't even going into any question about,  
4 he's reading about the, apparently the  
5 background of the individual who wrote this  
6 book.

7 MR. ROTHSCHILD: The purpose of the  
8 background is to simply identify who Mr. Hurd  
9 is, if he is someone with a background in  
10 archaeology then we're going to look at some of  
11 the propositions he asserts about archaeology  
12 and see how that squares with the inductive  
13 reasoning from what we do in archaeology to  
14 intelligent design.

15 MR. MUISE: As he just stated, he's reading  
16 that for the truth what's in there, that this  
17 man apparently has some expertise in  
18 archaeology.

19 THE COURT: Do you object to the, any  
20 mention to the, of the substance of the book?

21 MR. MUISE: That he -- I'm sorry, Your  
22 Honor?

23 THE COURT: He gets into the substance, if  
24 he gets into the, setting aside an objection to  
25 the author's credentials --

1           MR. MUISE: I think in a sense where we've  
2           discussed some of these other articles with  
3           similar problems, if he has specific sections he  
4           wants to go to to try to use for impeachment  
5           purposes, then I don't have an objection to  
6           that. But again it's not offered for the  
7           substance of what's in here. It's just to  
8           apparently test whatever claims that Dr. Behe  
9           has made.

10          THE COURT: If you're using the book not for  
11          the truth, which I suspect you're not, but for  
12          the purpose of cross examination, why should I  
13          hear the qualifications of the author?

14          MR. ROTHSCHILD: I think this is just  
15          background. You know, we're reading some  
16          passages from this section about archaeology  
17          and just simply putting on the record that the  
18          person who wrote this has a background in  
19          archaeology. I think this is something that  
20          Your Honor could take judicial notice of after  
21          inspection.

22          THE COURT: Well, but the only reason I need  
23          to do that is if it goes to the truth. You're  
24          using it as I think an appropriate mechanism for  
25          cross examination, but I don't think it's

1 relevant or necessary for me to hear the  
2 qualifications of the author. So I'll  
3 sustain the objection as it relates to the  
4 qualifications of the author. However, you  
5 can use the text itself consistent with my prior  
6 rulings for the purpose of cross examination.

7 BY MR. ROTHSCHILD:

223 8 Q. Professor Behe, if you could turn to page  
9 112 of the chapter?

10 A. Yes.

224 11 Q. And going down to the second full  
12 paragraph, just highlight the first sentence  
13 or first two sentences, it say, "Archaeologists  
14 know precisely the identity of our designers,"  
15 and I think that's consistent with the  
16 definition we just read, humans are the  
17 designers, correct?

18 A. Yes.

225 19 Q. And that's as we already went over one  
20 difference between archaeology and the argument  
21 for intelligent design for biological life?

22 A. I'm sorry, say that again?

226 23 Q. That's one difference between archaeology  
24 and the argument for intelligent design?

25 A. Yes, that's the difference.

227 1 Q. Then it says, "The archaeologists know  
2 their fundamental needs, "meaning the  
3 fundamental needs of humans, and that's another  
4 difference between archaeology and the study of  
5 biological, the argument for intelligent design  
6 for biological life?

7 A. And by that do you mean food, shelter, and  
8 water and stuff like that?

228 9 Q. Among other things, yes. We know quite a  
10 bit about what humans need, correct?

11 A. Yes, we have a lot of information on  
12 humans.

229 13 Q. In the case of this unnamed intelligent  
14 designer we don't know these things, correct?

15 A. That's correct.

230 16 Q. There are variable materials, that would  
17 be another example of the difference between  
18 archaeology and the argument for intelligent  
19 design of biological life?

20 A. That would be one difference, yes.

231 21 Q. And their range of means to manipulate  
22 those materials, that would be another  
23 difference, wouldn't it?

24 A. Again yes, that would be a difference.

232 25 Q. And we know what humans can physically do

1 and also we know something about technological  
2 methods of different periods of time, correct?

3 A. We certainly do, yes.

233 4 Q. Okay, and all that we don't know about this  
5 intelligent designer, correct?

6 A. That's correct.

234 7 Q. And just go on, it say, "Our close kin and  
8 we ourselves are the designers, and physics,  
9 chemistry, geology, and engineering provide our  
10 knowledge of their materials and means." So  
11 we have all this information from other  
12 scientific disciplines that tell us what we  
13 can and can't do, correct?

14 A. We have that information, yes.

235 15 Q. And not so for the intelligent designer,  
16 correct?

17 A. That's correct. But it is certainly if I  
18 might just clarify, if an archaeologist had gone  
19 to the moon and found an object there with which  
20 was familiar, he would realize it was designed  
21 and he would have much less certainty about who  
22 the designer was.

236 23 Q. But archaeologists are involved in human  
24 design, so --

25 A. So he would have to conclude it was a

1 human, is that correct?

237 2 Q. Not necessarily, Professor Behe.

3 MR. MUISE: Object. I believe counsel just  
4 testified.

238 5 Q. It seemed like so much fun I wanted to.

6 THE COURT: We will strike that comment,  
7 stating the objection.

239 8 Q. If we go to page 114, and if you can  
9 highlight the first sentence in the second  
10 full paragraph, the full paragraph? It says,  
11 "The second difficulty is that unlike ID,  
12 archaeology draws upon a vast literature of  
13 direct observational studies called ethnography,  
14 and what that means is that we have actually  
15 seen humans make many of the objects that  
16 archaeologists look at, correct?

17 A. Yes, that's certainly true, and in  
18 induction there's always some similarities  
19 and some differences, and in some cases it's  
20 less and in some cases it's more.

240 21 Q. And I take it you're considering this is  
22 another difference, we never saw God make the  
23 bacterial flagellum or any other intelligent  
24 designer, correct?

25 A. We have not observed the design of the

1 flagellum.

241 2 Q. And then it says and we have an established  
3 base of replication, experimental archaeologists  
4 can understand that to mean we can actually look  
5 at an object we find out in the field and we can  
6 see, we can try it ourselves, could we make it  
7 with what we understand the material implements  
8 to be at the time that this appears to be from.  
9 We can do that, right?

10 A. All of those are useful things to know, but  
11 they're not necessary.

242 12 Q. Okay, but that's a way you can actually  
13 test your conclusion that the object you're  
14 looking at, for example a dug out stone that,  
15 you know, could be used as a bowl but it's not  
16 obvious, you can actually try it out, could a  
17 human make that bowl, could he make it with  
18 bronze, maybe with bronze or steel, we could try  
19 that, right?

20 A. We could try that, and if you found that  
21 the human could not, then you would -- at least  
22 a human of that period or that civilization,  
23 then you would look on to a different designer.  
24 You would not conclude that that object was  
25 designed then.

243 1 Q. Now that's another thing that the  
2 intelligent designer, the little dug out  
3 bowl, that's another thing we then attribute  
4 to the designer?

5 A. I'm sorry?

244 6 Q. If you ruled out humans, you're saying this  
7 little dug out bowl is, you would then attribute  
8 it to the --

9 A. No, I'm saying if an archaeologist ruled  
10 out the most likely designers around the object  
11 that he was examining or she was examining, and  
12 if it was sufficiently complex that he was  
13 confident that it was designed, then he would  
14 look to other designer, perhaps some other  
15 civilization, some nomadic people coming through  
16 or some such thing. If it was complex enough  
17 what he would not do is conclude that since the  
18 subjects, the human subjects in the area could  
19 not do that, that it was not designed.

245 20 Q. But in any event this is another  
21 difference, we can test whether humans could  
22 make these archaeological objects, but even  
23 with modern technology most biological systems  
24 we cannot recreate in a lab, right?

25 A. Yes. They are beyond our ability to



1 design.

246 2 Q. So if the strength of an inference depends  
3 on the similarities, this is a pretty weak  
4 inference, isn't it, Dr. Behe?

5 A. No, I disagree completely. Again if  
6 something showed strong marks of design, and  
7 even if a human designer could not have made  
8 it, then we nonetheless would think that  
9 something else had made it. Lots of science  
10 fiction movies are based on scenarios like that,  
11 and again the, I think the similarities between  
12 what we find in designed objects in our everyday  
13 world and the complex molecular machinery of the  
14 cell have actually a lot more in common than do  
15 explosions we see on earth such as cannon balls  
16 and so forth and the explosion of an entire  
17 universe, and that induction seems to have been  
18 fairly successful in trying to explain some  
19 features of the world. So I think it's not at  
20 all uncalled for to make a similar induction in  
21 this case.

247 22 Q. Science fiction movies are not science, are  
23 they, Professor Behe?

24 A. That's correct, they are not. But they  
25 certainly try to base themselves on what their

1 audience would consider plausible within the  
2 genre, so they can offer useful illustrations  
3 at some points, for some points.

4 MR. ROTHSCCHILD: I have no further  
5 questions, Your Honor.

6 THE COURT: All right. We'll go back to  
7 redirect.

8 REDIRECT BY MR. MUISE:

248 9 Q. Good afternoon, Dr. Behe.

10 A. Good afternoon, Mr. Muisse.

249 11 Q. I want to start off here with a bang, a  
12 big bang. If we could draw your attention  
13 back to Plaintiff's Exhibit 722, P-722, Why  
14 Intelligent Design Fails, I just want to revisit  
15 that was described as the second difficulty,  
16 comparing archaeology with intelligent design.  
17 And it says --

18 A. I'm sorry, what page is that?

250 19 Q. I'm sorry, page 114.

20 A. 114? Yes.

251 21 Q. It say, "Archaeology draws upon a vast  
22 literature of direct observational studies,  
23 ethnography, and established space replications,  
24 experimental archaeology," again drawing of the  
25 analogy of the Big Bang. Dr. Behe, is it your

1 understanding that those who theorize on the Big  
2 Bang drew on direct observational studies and  
3 established base of replications of universes  
4 exploding?

5 A. No, I think there were no examples of that  
6 previously.

252 7 Q. Do they in fact rely on and reason to  
8 explain a natural phenomenon occurrences that  
9 were actually created by humans such as  
10 explosions by fire crackers and cannon balls and  
11 that sort of thing?

12 A. Yes, that's my understanding they  
13 extrapolated from things of our common  
14 experience to things well beyond our common  
15 experience.

253 16 Q. And that was to explain a phenomenon in  
17 nature?

18 A. Yes.

254 19 Q. Sir, you testified on direct and again here  
20 on cross that you take issue with some of the  
21 aspect of Pandas, the Pandas book correct?

22 A. Yes.

255 23 Q. And Pandas was written in 1993?

24 A. That's correct.

256 25 Q. A relatively old textbook I believe you

1 would acknowledge for a biology, correct?

2 A. Yes.

257 3 Q. We heard testimony in this trial from  
4 Dr. Miller that he took issue with a portion  
5 of his 1995 biology text that was written by his  
6 co-author and which he personally edited. You  
7 weren't a co-author on Pandas, is that correct?

8 A. No, I wasn't.

258 9 Q. Were you asked to review the entire book?

10 A. No. Just the section that I wrote.

259 11 Q. And that was the section on blood clotting?

12 A. Yes, that's right.

260 13 Q. And is that within your expertise as a  
14 biochemist?

15 A. Yes, it is.

261 16 Q. Now, on your direct you referred several  
17 times to a biochemistry book by Voet and Voet.  
18 Do you recall that?

19 A. Yes.

262 20 Q. And are you familiar with this book?

21 A. Yes. I use it in my biochemistry course.

263 22 Q. And I believe on direct you testified that  
23 it's a widely used book by biochemists, is that  
24 correct?

25 A. Yes, it's considered perhaps the leading

1 text in the field.

264 2 Q. Does it contain sections that you take  
3 issue with?

4 A. A couple, yes.

265 5 Q. Yet you still use it, you believe it has  
6 value for your biochemistry class?

7 A. Yes. Yes, I do.

266 8 Q. Now, despite these issues you have with  
9 Pandas, then what is the value of making Pandas  
10 available for students for their review?

11 A. I think while it's certainly not a perfect  
12 book, it gives students a different perspective  
13 on viewing the data. It allows them to separate  
14 the data from the interpretation of the data.  
15 It gives them an opportunity to view whether the  
16 data are the strong support for a particular  
17 theory that theory's adherents might claim  
18 against the claims of another group which might  
19 view the strength of the evidence differently.  
20 It also gives them the opportunity to view the  
21 weaknesses of a particular explanation, the  
22 strength of those weaknesses if you might say  
23 that, or the seriousness of those weaknesses  
24 versus as seen by the supporters of the theory  
25 and as seen by another group.

267 1 Q. Sir, does intelligent design require a  
2 common descent be shown to be, incorrect?

3 A. No, it does not, as I argued in my book  
4 Darwin's Black Box.

268 5 Q. Is there a unanimity amongst biologists  
6 regarding all aspects of Darwin's theory of  
7 evolution?

8 A. No, there aren't.

269 9 Q. Is intelligent design any different in that  
10 respect?

11 A. No. Everybody has his own opinion.

270 12 Q. Does intelligent design continue to  
13 develop?

14 A. Yes, it does.

271 15 Q. It's developed since 1993?

16 A. Yes, it has.

272 17 Q. Sir, are you still presently being invited  
18 to academic institutions to present to them your  
19 scientific arguments on intelligent design?

20 A. Yes, I still get lots of invitations.

273 21 Q. In fact, did you have to decline one such  
22 invitation on account of this trial?

23 A. Yes, I did.

274 24 Q. What was that?

25 A. Well, I was going to go over to the Frije

1 University, which is spelled F-R-I-J-E, Frije  
2 University in Amsterdam, to participate in a  
3 discussion and debate on the topic of  
4 intelligent design with a Dutch biochemist.

275 5 Q. Does this Dutch biochemist have any  
6 prominence in that area?

7 A. Yes. I am told, although I don't know him  
8 myself, I am told that he's a member of their  
9 national science academy and a very well  
10 regarded person, a person who is convinced of  
11 a Darwinian point of view.

276 12 Q. I don't know if you still have in front of  
13 you, sir, an exhibit marked P-726, it was the  
14 tulip and dandelions article?

15 A. Yes, I have it.

277 16 Q. And what book did this article appear in  
17 or magazine of some sort?

18 A. This appeared in a magazine called "Books  
19 and Culture," which is a publication which is  
20 put out by an organization called Christianity  
21 Today which publishes a magazine by that name.

278 22 Q. So you're writing for a Christian audience  
23 in this case?

24 A. That's correct.

279 25 Q. Were you seeking just to present scientific

1 arguments in this article?

2 A. No, because this was a magazine directed  
3 towards a religious group with which I share  
4 many common ideas. I took those common ideas  
5 as background for writing this material.

280 6 Q. Matt, can I ask you to bring up P-718? If  
7 you go to page 696, can you highlight the  
8 indented passage which begins with "many  
9 religious persons"? Can you bring that up for  
10 us, please? Dr. Behe, do you have a copy of  
11 P-718?

12 A. I'm trying to find it.

13 (Brief pause.)

14 A. Lot of stuff up here.

281 15 Q. Let me, can you read the screen? Why don't  
16 we work it that way.

17 A. Yes, I can do that.

282 18 Q. This is a section from your article Reply  
19 To My Critics, is that correct?

20 A. Yes, I found it here. What page is that  
21 now?

283 22 Q. 696.

23 A. Yes.

284 24 Q. Would you please read the section that I  
25 have highlighted?



1       A. It says, "Many religious persons, including  
2       many scientists, hold that God created the  
3       universe and the various processes driving  
4       physical and biological evolution, and that  
5       these processes then resulted in the creation  
6       of galaxies, our solar system, and life on  
7       Earth. This belief, which sometimes is termed  
8       'theistic evolution,' is not in disagreement  
9       with scientific explanations of evolution. The  
10      National Academy of Sciences, 1999, Citation 7."

285 11      Q. Do you know if that was published in some  
12      sort of a theological or religious journal, this  
13      statement by the National Academy of Sciences?

14      A. No, this was in their publication dealing  
15      with this issue entitled Science and Creationism  
16      where in my opinion they offer their view that  
17      theistic evolution is a good religious stance if  
18      one wishes to disagree or if one wishes to avoid  
19      conflicts with evolution.

286 20      Q. So the national Academy of Sciences is  
21      taking a position or making a statement with  
22      regard to religion?

23      A. The way I interpret it is this is that the  
24      National Academy of Sciences is making this view  
25      known to teachers to which the publication is

1 directed, that this, the way I read it that this  
2 is a good religious stance to avoid conflicts  
3 with evolution.

287 4 Q. Matt, if you could close that down and  
5 keep that page though, please? If you could  
6 highlight that section I believe you were  
7 directed to, it starts with "by intelligent  
8 design I mean to imply," if you could find where  
9 that section is, "beyond the simple laws of  
10 nature"? Dr. Behe, you were asked about the  
11 section, the sentence says, "By intelligent  
12 design I mean to imply design beyond the simple  
13 laws of nature." By stating that, are you  
14 claiming that intelligent design requires the  
15 actions of a supernatural creator?

16 A. No, not at all. As a matter of fact I'm  
17 claiming quite less than what the National  
18 Academy says is consistent with scientific  
19 explanations of evolution, that is that God  
20 created, the universe, and the various processes  
21 driving physical and biological evolution. In  
22 this section I'm actually contrasting my view to  
23 those who argue for design saying that they  
24 think that the universe and its laws were  
25 designed. I'm saying that in fact a design that

1 I'm proposing actually is a, is something that  
2 would require perhaps less of an ability of a  
3 designer.

288 4 Q. Now, you were asked about publications of  
5 intelligent design articles in peer reviewed  
6 journals, and I believe you testified on direct  
7 that you considered that article that you wrote  
8 with David Snoke as being an article that is  
9 about or reference with regard to intelligent  
10 design in a published peer reviewed, or how  
11 would you describe that article?

12 A. Well, I would describe it as an article  
13 that certainly speaks to the question of  
14 intelligent design and the limits of  
15 unintelligent processes.

289 16 Q. Did you submit an article with scientific  
17 research advancing the argument for intelligent  
18 design to a peer reviewed science journal?

19 A. I'm sorry?

290 20 Q. Have you submitted an article with  
21 scientific research making the argument for  
22 intelligent design to a peer reviewed journal,  
23 science journal?

24 A. I was invited to submit such an article  
25 by the Quarterly Review of Biology.

291 1 Q. Let me -- was there an article that you  
2 sought to submit to the Journal of Molecular  
3 Evolution?

4 A. Yes. That was an article which was  
5 essentially a condensed version or a truncated  
6 version of the one which eventually became the  
7 article which was published in Biology and  
8 Philosophy where I essentially had the section  
9 deals with Russell Doolittle's claims on the  
10 blood clotting system.

292 11 Q. Did the Journal of Molecular Evolution  
12 accept the article that you submitted to them?

13 A. No, it was not accepted.

293 14 Q. What was your understanding as to why they  
15 didn't accept it?

16 MR. ROTHSCHILD: Objection. Calls for  
17 hearsay.

18 MR. MUISE: Your Honor, I'm asking for his  
19 understanding.

20 MR. ROTHSCHILD: If it's going to be based  
21 on communications he received from --

22 THE COURT: You can't say what someone told  
23 you. It can be what your understanding of the  
24 reason is. So to that extent I'll overrule the  
25 objection. Do not quote or repeat what someone

1 told you, only what your understanding of why it  
2 was rejected, consistent with Mr. Muise's  
3 question.

4 THE WITNESS: My understanding is that it  
5 was rejected because it was being judged on the  
6 non-scientific implications of what I have  
7 published in Darwin's Black Box rather than in  
8 the scientific argument I was making in the text  
9 of the manuscript itself.

10 BY MR. MUISE:

294 11 Q. So your understanding was that it was  
12 rejected not based on the science that you were  
13 arguing in the paper itself?

14 A. That's right.

15 MR. ROTHSCHILD: Your Honor, I'm going to  
16 move to strike. I think that calls for  
17 speculation, or is speculation.

18 THE COURT: Well, I take it as such. You  
19 know, I understand, that's more argument than it  
20 is an objection. It's his understanding, and  
21 his understanding I think necessarily calls for  
22 some conjecture or speculation, so I'll not  
23 strike it. I understand your argument.

24 MR. MUISE: And Your Honor, without getting  
25 into the hearsay of it, I want to ask him what

1 he bases that understanding on, not go into  
2 whatever the content of it is, but for example  
3 he received letters back from the editors, maybe  
4 had conversations with the editors, we won't go  
5 into the details of that, but what is the basis  
6 for is understanding. It's not mere  
7 speculation.

8 THE COURT: If you want to walk it right up  
9 to the line you can try, but if he's going to  
10 refer to a hearsay document and a hearsay  
11 statement, then it's going to be objectionable  
12 and stricken.

13 MR. MUISE: I understand, Your Honor.

14 THE COURT: If you want to walk the line,  
15 walk the line, but we'll see what happens.  
16 Proceed.

17 BY MR. MUISE:

295 18 Q. Dr. Behe, what is the basis of your  
19 understanding of the, as you described the  
20 reasons for rejecting that article?

21 A. The basis for my understanding is  
22 impressions I formed from communications  
23 with the people running the journal.

296 24 Q. Now, you've been asked questions again  
25 about reasons why you don't present what you

1 describe as sort of your more complex argument  
2 on intelligent design to some of the  
3 professional society meetings, that's the  
4 professional side that you belong to, correct?

5 A. Yes.

297 6 Q. Did you ever attempt to present your  
7 scientific arguments for intelligent design  
8 at these, at at least one of these society  
9 meetings?

10 A. Yes, I did once.

298 11 Q. How was it that you attempted to do so?

12 A. I sent a letter co-written with Professor  
13 Miller to our respective scientific societies  
14 proposing that a symposium be held at the  
15 national meetings on the topic of evolution  
16 and intelligent design.

299 17 Q. Did the society accept that proposal?

18 A. We received an acknowledgment that the  
19 letter had arrived, but that we never, or I  
20 never heard any further communication.

300 21 Q. Now, the article that we have been talking  
22 about, this one you wrote with David Snoke, and  
23 it's marked as P-721, and if you have it in  
24 front of you, sir, if you look up on the screen?

25 A. Yes.

301 1 Q. That's in fact the article you wrote?

2 A. Yes, that's it.

302 3 Q. Now, Mr. Rothschild asked you a question  
4 indicating that this article itself implies  
5 irreducible complexity, but in fact it doesn't  
6 use the term irreducible complexity, correct?

7 A. That's correct.

8 MR. ROTHSCHILD: Mischaracterizes the  
9 question. I was clear that, I asked whether it  
10 argues clear irreducible complexity, he answered  
11 that, "I think it does, but it doesn't use the  
12 word." I wasn't talking about implying.

13 THE COURT: Is this a semantical problem?

14 MR. ROTHSCHILD: Well, I think it may be,  
15 Your Honor, unless we're about to go right back  
16 to some hearsay that was attempted on Monday or  
17 Tuesday.

18 THE COURT: In what way?

19 MR. ROTHSCHILD: That there was going to be  
20 testimony about what Professor Behe was told  
21 about use of the term irreducible complexity.  
22 You ruled that was hearsay, and I'm concerned  
23 that's right where we're going again.

24 MR. MUISE: Your Honor, I'm not going to ask  
25 him about any of the statements. I'm asking him



1     why it was that he took it out and what his  
2     understanding was why it had to be taken out,  
3     and again he brought this up again on cross  
4     examination. That's why I'm going back to  
5     revisit it, because the implication of the  
6     question is that look, he's not writing anything  
7     with this term irreducible complexity and  
8     there's a reason for that, and I think we should  
9     be able to have an opportunity to go back and  
10    explore the reason why the term irreducible  
11    complexity is not in there.

12           MR. ROTHSCHILD: Your Honor, I think the  
13    answer his understanding is going to bring in  
14    is hearsay. I think also Professor Behe has  
15    made it clear during cross examination that he  
16    used this paper as arguing for irreducibly  
17    complexity without the words, so I think that's  
18    already in the record.

19           THE COURT: Wes, read that question that you  
20    have back.

21           (The record was read by the reporter.)

22           THE COURT: I'll take the answer that's  
23    correct, and I won't strike it on the record.  
24    I really think you're imposing a preventative  
25    objection with respect to what may come

1 hereafter, so I'll overrule the objection or a  
2 motion to strike as relates to, "That's  
3 correct," the answer to the question is on  
4 the record is on the record, and I heard it and  
5 I can't unring that bell. At this point it goes  
6 to weight and the argument you have. You can  
7 proceed, with the understanding that again if  
8 you get into a hearsay area, in an area you  
9 think it's hearsay, then you --

10 MR. ROTHSCHILD: And, Your Honor, I think  
11 the way the question was formulated and the  
12 answer he received characterized my question  
13 as opening the door. I understand, I'm not  
14 concerned so much with striking the answer as  
15 that the characterization that my question has  
16 opened the door, and so to that extent I object  
17 to that characterization for the purposes of  
18 argument.

19 THE COURT: All right. I understand your  
20 argument. You can proceed.

21 MR. MUISE: I'm going to try to walk up that  
22 line again, Your Honor.

23 BY MR. MUISE:

303 24 Q. Dr. Behe, why is it that you did not  
25 include that term irreducible complexity in

1 that paper?

2 \*\*\* REPORTER NOTE: ANSWER STRICKEN AT THE  
3 DIRECTION OF THE COURT \*\*\*

4 MR. ROTHSCHILD: Move to strike, Your Honor.  
5 I think this is back-door hearsay.

6 MR. MUISE: Same as before, Your Honor.  
7 It's his understanding and I'm going to ask  
8 him what is the basis for it. It's not going to  
9 be speculation.

10 THE COURT: You didn't ask him that, and  
11 that's not the answer he gave. He talked about  
12 specific communications. I think it is  
13 back-door hearsay under those circumstance. I  
14 don't want to put too fine a point on this, but  
15 that answer did involve what I would consider to  
16 be back-door hearsay. His understanding is one  
17 thing. He just referred specifically to a  
18 communication he received. What's the  
19 difference between that and reading the  
20 communication?

21 MR. MUISE: There's a big difference. If  
22 you ask somebody why did you do something,  
23 because I was told not to do it, that doesn't  
24 mean that you were told not to do it comes in  
25 as the basis. It explains why he does it. For

1 example, I'm in a theatre, somebody yells,  
2 "Fire!" I run out. I get asked why did you run  
3 out of the theatre, somebody yelled fire. Is  
4 that being shown to prove that a fire occurred?  
5 No. It's being used to explain why he did  
6 something. You can't fully explain, he can't  
7 fully explain why it was he didn't include that  
8 term unless he gets to the point that I  
9 submitted it, I got a reply back, and I was told  
10 to take it out, so I took it out. That was the  
11 reason why I took it out.

12 THE COURT: You can say that his impression  
13 from the communication he received is that he  
14 shouldn't include it, and I'll take it at that,  
15 but if he says that, well, we're not going to --

16 MR. MUISE: Your Honor, we can move on.

17 THE COURT: I'll sustain the objection as it  
18 relates to what I consider to be back-door  
19 hearsay in his answer, and I'll strike that  
20 answer as it involves the contents or an attempt  
21 to get the contents of the communication in.

22 BY MR. MUISE:

304 23 Q. Dr. Behe, you were asked a question about  
24 a, I guess a criticism of your claims that were  
25 advanced by Dr. Robert Pennock. Do you recall

1 that?

2 A. I'm not quite sure which one you're  
3 referring to.

305 4 Q. I believe it was a claim in your article  
5 Reply to my Critics, it was a discussion about  
6 some asymmetry and Dr. Robert Pennock --

7 A. Yes.

306 8 Q. -- had made some claims?

9 A. Yes.

307 10 Q. We can't be talking over each other. If we  
11 could get this right, I know you've been on a  
12 long time and I understand that. Sir, why was  
13 it that you haven't gone back to address that  
14 issue?

15 A. Because I did not regard it as very  
16 important. I regarded it more as a  
17 philosopher's objection, which did not really  
18 consider the biological situation, and therefore  
19 while it was interesting from one point of view,  
20 it was really not all that important to the  
21 argument.

308 22 Q. Sir, did you make a mistake on your  
23 argument with regard to the blood clotting  
24 system?

25 A. Not that I'm aware of, no.

309 1 Q. You were asked some questions about the  
2 immunity system, and Mr. Rothschild gave you  
3 some books and articles and piled some papers  
4 on top of you. Do you remember that?

5 A. I do remember that, yes.

310 6 Q. And you claim that you didn't find these  
7 examples all that persuasive, correct?

8 A. That's right.

311 9 Q. And you stated because you didn't believe  
10 they provided the detailed rigorous answers to  
11 how the immunity system can arise by random  
12 mutation and natural selection, is that a fair  
13 characterization?

14 A. Yes, that's right, and that's the issue  
15 that directly involves intelligent design,  
16 the issue that I focus on.

312 17 Q. Do you see that at all as a problem with  
18 a singular focus on natural selection as a  
19 mechanism?

20 A. Well, I certainly do. As I have tried to  
21 make clear, I think often times people who  
22 assume the truth of a theory often times  
23 overlook missing elements of it, even very  
24 important missing elements, and I could refer  
25 back of course to the ether theory of light.

1 So in my view much of the, much of the  
2 misunderstanding is that many people assume  
3 that natural selection must have caused these  
4 changes somehow, and so they take evidence which  
5 does not directly impinge on that as evidence  
6 for the mechanism of natural selection itself,  
7 wherein my view it does not support the  
8 mechanism.

313 9 Q. Sir, you were asked a question about a  
10 statement in Pandas regarding what evolution  
11 predicts regarding the molecular clock, and you  
12 said that was not accurate, correct?

13 A. That's right.

14 MR. ROTHSCHILD: Objection, Your Honor.  
15 It's mischaracterizing the question. He did,  
16 Professor Behe did concede that something in  
17 Pandas was not correct, but it wasn't on the  
18 point of the molecular clock. Mischaracterizing  
19 the question and the answers.

20 THE COURT: Yes, I don't remember that to  
21 be. I don't remember that to be a point that  
22 was testified to by the witness.

314 23 Q. Dr. Behe, do you remember questions, it was  
24 you addressing some slides that Dr. Miller had  
25 regarding biochemical similarities, and perhaps

1 I was imprecise in describing it as the  
2 molecular clock. But I was referring to I  
3 believe the molecular distances or protein  
4 sequencing, is that correct?

5 A. The protein sequence differences I think  
6 one can say.

315 7 Q. And there was a statement about what  
8 evolution would predict that Pandas had made  
9 that you just described as being not accurate,  
10 is that correct?

11 A. I think so, yes.

316 12 Q. Do you recall that?

13 A. To tell you the truth, I'm not exactly sure  
14 exactly what I said.

317 15 Q. Was there a section, there's a statement in  
16 Pandas regarding the protein sequences and an  
17 argument as to what evolution should predict or  
18 should show, and I believe you had said that  
19 that wasn't an accurate statement in Pandas,  
20 correct?

21 A. Yes.

318 22 Q. And why do you believe it's not an accurate  
23 statement?

24 A. It's not accurate in Pandas because it's my  
25 view that Darwinian evolution does not regard or



1 does not predict anything strongly whatsoever  
2 regarding protein sequences, that much like  
3 predictions of embryo structures and other  
4 things that it rather accommodates itself post  
5 hoc to what has been discovered by experimental  
6 science, but does not strongly predict anything.

319 7 Q. Leaving aside that error that you  
8 identified, is the section on biochemical  
9 similarities that you testified to yesterday,  
10 and I believe you talked about protein  
11 sequencing and the molecular clock, is that  
12 aspect of Pandas accurate?

13 MR. ROTHSCCHILD: Objection. Outside the  
14 cross, Your Honor. He's already testified to  
15 it on direct. I didn't ask him on cross about  
16 whether the molecular clock section was correct  
17 or not.

18 MR. MUISE: That's fine, Your Honor.

19 THE COURT: I don't think he got into the  
20 molecular clock on cross.

21 MR. MUISE: That was just in that same  
22 section, Your Honor.

23 THE COURT: He's called you on it. I'll  
24 sustain the objection. It is outside the area  
25 of cross.

1 BY MR. MUISE:

320 2 Q. Matt, could I ask you to please do one more  
3 exhibit for me? Exhibit 718, page 697. Can you  
4 highlight the paragraph which begins "in fact"?  
5 Dr. Behe, my understanding is this is an  
6 experiment that you proposed to be able to  
7 falsify your claims or your ideas, is that  
8 correct?

9 A. Yes, that's right.

321 10 Q. I believe as we have gone through in your  
11 direct testimony, it's one that could readily  
12 be conducted in the laboratories that we have  
13 today?

14 A. Well, it would take effort, but it could  
15 be conducted, yes.

322 16 Q. And Mr. Rothschild had asked you whether  
17 any intelligent design proponent has actually  
18 tried to do this experiment, is that correct?

19 A. That's right.

323 20 Q. Sir, has anyone from the National Academy  
21 of Sciences ventured to take up this challenge  
22 to refute your claim through experimental  
23 evidence?

24 A. Not to my knowledge, no.

324 25 Q. Has anyone from the AAAS taken up your

1 challenge to refute your claim through  
2 experimental evidence?

3 A. No, not to my knowledge.

4 MR. MUISE: No further questions, Your  
5 Honor.

6 THE COURT: All right. Recross?

7 RECROSS BY MR. ROTHSCHILD:

325 8 Q. A couple of questions. Professor Behe,  
9 Mr. Muise asked you whether you had submitted  
10 any articles of scientific research supporting  
11 intelligent design to peer reviewed journals,  
12 and I think the answer you gave was that subset  
13 of Reply To My Critics, correct?

14 A. I don't think I replied to that question  
15 when he phrased it that way. I think I asked  
16 him to repeat or something, and I think he  
17 rephrased it another way.

326 18 Q. And that's exactly what I want to clarify.  
19 That submission which discussed Dr. Doolittle's  
20 work, that didn't have any scientific research?

21 A. It had scientific research. It was not my  
22 research, but it was indeed scientific re  
23 search.

327 24 Q. Discussing for example Bugge's research and  
25 the like and Dr. Doolittle?

1 A. Bugge.

328 2 Q. My helpers have said "buggy" to me, and now  
3 I'm going buggy. One more set of questions,  
4 you're familiar with Henry Morris and Duane  
5 Gish?

6 A. Yes.

329 7 Q. They are creationists? They would  
8 acknowledge, that correct?

9 A. Sure.

330 10 Q. And Dr. Ken Miller, you heard him testify  
11 the first couple of days of trial?

12 A. Yes -- no, just the first day. I wasn't --

331 13 Q. And on that first day he testified that he  
14 had in fact debated Duane Gish and Henry Morris,  
15 correct?

16 A. Both of them? I don't remember.

17 MR. MUISE: Your Honor, this is outside the  
18 scope of redirect.

19 MR. ROTHSCHILD: I can lay a foundation if  
20 you'd like, Your Honor. I'm about ready to wrap  
21 up.

22 THE COURT: Well, he's called you now, so  
23 I'll allow you to lay a foundation.

24 BY MR. ROTHSCHILD:

332 25 Q. Professor Behe, Mr. Muise asked you on

1 redirect about the fact that you're still  
2 presenting to scientific conferences -- or not  
3 conferences, but to scientific departments and  
4 the like?

5 A. Yes.

333 6 Q. And continuing to debate intelligent  
7 design?

8 A. Yes.

334 9 Q. Okay. And you heard Dr. Miller testify  
10 about debating at least one of the two  
11 creationists we just identified?

12 A. That's correct.

335 13 Q. And the fact that Dr. Miller has debated  
14 them, that doesn't make creationism a science,  
15 does it?

16 A. That's correct.

17 MR. ROTHSCHILD: No further questions, Your  
18 Honor.

19 THE COURT: All right. That will conclude  
20 the testimony of Dr. Behe. You may step down,  
21 sir. We thank you.

22 MR. ROTHSCHILD: Your Honor, I see you're  
23 looking at the list of exhibits. I'm going to  
24 make a suggestion that we pause and maybe pick  
25 them up tomorrow or another day.

1           THE COURT: Yes, I think if we could get,  
2           because of the number of exhibits, why don't you  
3           see if you can reach an agreement, and I'll let  
4           you recite that, I think that would be a good  
5           idea because it would be a long process indeed  
6           to go through this. And what I'll do is I'll  
7           defer to you to, I'll defer to Mr. Muise, to his  
8           witness, to start the process with respect to  
9           the introduction of the defense exhibits, and  
10          then we'll go from there. So maybe as we start  
11          the day tomorrow we can do that, and you can  
12          tell me what exhibits, what I'm interested in  
13          obviously is what exhibits can go in by  
14          stipulation without objection and what exhibits  
15          we have to argue over, if that works for  
16          everybody.

17          MR. MUISE: I'm not going to be in court  
18          tomorrow, Your Honor, myself, but we have a  
19          pretty fair list, and I'm sure co-counsel can  
20          handle it.

21          THE COURT: I'll bet Mr. Gillen can handle  
22          that.

23          MR. MUISE: He can handle anything.

24          MR. GILLEN: I'll try to.

25          MR. ROTHSCHILD: And I have no objection if

1 it waits until a later day, Friday morning or  
2 whatever, Friday afternoon.

3 THE COURT: Yeah, I think we've gotten  
4 behind a little bit, so we'll just have to --  
5 let's get it in this week, but if we don't have  
6 to lead off with it tomorrow, we have a  
7 shortened session as we all know tomorrow, and  
8 if we want to devote time to witnesses rather  
9 than arguing over exhibits, that's certainly  
10 fine with me.

11 MR. MUISE: Your Honor, may we have a  
12 moment?

13 THE COURT: Certainly.

14 (Brief pause.)

15 MR. GILLEN: Your Honor, there's one other  
16 matter which may or may not be a concern. I  
17 suggest we talk about it with the other side  
18 before we bring it to your attention. Okay?

19 THE COURT: Why don't you approach.

20 (Side bar at 4:10 p.m.)

21 THE COURT: Let me ask you a question, let  
22 me ask you first, who do you have for tomorrow?

23 MR. GILLEN: That's the nature of  
24 Mr. Muise's concern. We intended to start  
25 Rich Nilsen the way I told Eric I would.

1     However, we do have an expert coming in, that  
2     was in now for Friday. That would cause us to  
3     break up the direct of Nilsen, which I think we  
4     can.

5             THE COURT: The expert is not going to get  
6     here until Friday?

7             MR. MUISE: No, he's been here since last  
8     night, Your Honor.

9             MR. GILLEN: So we want to get him in and  
10    get him out of town.

11            MR. ROTHSCHILD: I have absolutely no  
12    objection.

13            MR. GILLEN: So we just wanted to alert you  
14    to the fact that we don't want to waste time.  
15    So we'll start Nilsen tomorrow, but then Dick  
16    Carpenter, we'll try to get him on.

17            THE COURT: But I don't understand, why  
18    don't you start with -- you want to start with  
19    Nilsen and then stop him?

20            MR. GILLEN: Yes.

21            THE COURT: You don't want to start with the  
22    expert?

23            MR. GILLEN: Right. We'll start him on  
24    Friday and get him done and then get him out  
25    of town, because he needs to get --



1 THE COURT: You're going to get him done on  
2 Friday though?

3 MR. MUISE: He's a short expert from our  
4 perspective.

5 THE COURT: All right. Well, so I'm just  
6 wondering why you don't want to start him  
7 tomorrow.

8 MR. GILLEN: He just got in town and he  
9 needs to catch up.

10 MR. MUISE: I've been here all day today.

11 THE COURT: I was confused.

12 MR. ROTHSCHILD: We've spent a week here.

13 MR. MUISE: He's been scheduled for Friday  
14 all along, but because Dr. Behe went longer  
15 than --

16 THE COURT: It's been big fun for me, too.  
17 Let me ask you this. I have another issue, and  
18 the reason I wanted to do a side bar, I don't  
19 want to get into this too deeply in front of  
20 everybody, I got an amicus brief from the  
21 Discovery Institute. Now, it's been objected to  
22 by the plaintiffs. There's a problem here that  
23 I've created. They've contacted my chambers,  
24 and we sort of tacitly if not directly opened  
25 the gate for the filing of the brief.

1           Not that we would accept it, you know, I  
2           have too many balls up in the air, and didn't  
3           look at my own rules when I did that. Now,  
4           having looked at the brief briefly, it contains  
5           an expert report which is highly problematic,  
6           and I'm trying to figure out how to deal with  
7           that, because my intention is to strike it.  
8           I'm not going to take an expert report in a  
9           brief.

10           MR. MUISE: Well, Your Honor, I mean, amicus  
11           is often times, in many of the cases with amicus  
12           briefs that courts accept are ones that are sent  
13           by professional organizations or medical  
14           organizations and are in fact really expert  
15           reports. I mean, they may not be as --

16           THE COURT: Well, we all know the problem  
17           that we had in this case with Mr. Dembski, and  
18           you know --

19           MR. GILLEN: He's not here to circle around  
20           back to you.

21           THE COURT: That's the problem.

22           MR. MUISE: I understand, but I mean it's  
23           the weight that you're going to apply to it,  
24           Your Honor, the point of making --

25           THE COURT: But I am distressed by the fact

1 that there is an expert report attached to the  
2 amicus brief. You know, if I open the gate and  
3 I tell him I want an expert report, that's one  
4 thing. So I guess, you know, before we all  
5 start a plethora of filings, I'm telling you  
6 that to give it some thought, we can talk about  
7 it tomorrow, I could accept some argument on it  
8 if everybody wants to argue, and I can haul in  
9 counsel for the Discovery Institute.

10       They have local counsel, in fact I think  
11 it's Mr. Boyle's firm who's local counsel, and  
12 we can go through that, have Mr. Boyle have  
13 another unhappy day in this court and have his  
14 head handed to him, or I can just summarily  
15 strike it. I'm not going to take an expert  
16 report. Now, there's yet another one that you  
17 have objected to, I can do that on the  
18 submissions and that's not a problem, but I'm  
19 interested, do you want to put a dog in that  
20 hunt?

21       MR. GILLEN: You know what, judge? Amicus  
22 at the trial court level, as rare as it is,  
23 you're going to have a full record, that's been  
24 our position from the beginning. The only thing  
25 I would suggest is like you say, you open the

1 door now and who knows who's going to show up  
2 with a brief, and I don't --

3 THE COURT: No, I didn't, I opened the door  
4 I think only to them.

5 MR. GILLEN: Right.

6 THE COURT: And I've corrected the error now  
7 and they're going to have to follow the rule to  
8 the extent that there are future submissions.  
9 I didn't open the door for anybody.

10 MR. GILLEN: Exactly. No way.

11 THE COURT: But I take the blame, but in  
12 this particular case this large missive which  
13 I received in as much as it has an expert report  
14 on it, I don't want to denigrate the Discovery  
15 Institute to the masses here.

16 MR. GILLEN: Right.

17 THE COURT: But I'm just not going to  
18 receive it. I understand what you're saying,  
19 Mr. Muise, sometimes you do, but not having had  
20 the dispute about Mr. Dembski --

21 MR. GILLEN: Yes, I want nothing to do with  
22 that. I want nothing to do with not showing up  
23 here when he was an expert, and then trying to  
24 sneak something?

25 THE COURT: All right.

1           MR. ROTHSCHILD: Your Honor, just to make it  
2 clear, I mean it's not just any expert report.  
3 It's actually the expert report filed as  
4 rebuttal by Dr. Meyer in this case.

5           THE COURT: Oh, I understand.

6           MR. ROTHSCHILD: It sounds to me like, you  
7 know, it sounds like there's a basis to strike  
8 that doesn't need to deal with the opportunity  
9 you gave them.

10          THE COURT: There's no question about that.  
11 You know, it's no harm, no foul. But the fact  
12 that I was too charitable and they gained  
13 without a motion doesn't mean that I can't  
14 summarily strike it. I might have done it sua  
15 sponte even absent your motion. Think about it.  
16 If you change your position, let me know at the  
17 outset tomorrow. Otherwise I think that what  
18 I'll do is, I don't know what I'll do as to the  
19 first submission. That does not contain any  
20 expert report. I think -- is that the 85  
21 scientists --

22          MR. ROTHSCHILD: Yes, Your Honor.

23          THE COURT: -- submission? You may have  
24 other grounds, we'll let that be briefed and  
25 we'll go from there, I'm not going to pre-judge

1 that, but I'm vexed by the fact that I've got,  
2 you know, another massive submission, and in the  
3 meantime their counsel has been e-mailing Liz,  
4 and as a judge told me and co-counsel years ago,  
5 "We're not running a law school here," and the  
6 substance of the question is how do we do this,  
7 and you know, we're not going to get into that.

8 MR. GILLEN: It's plain from the first brief  
9 they don't know.

10 THE COURT: Yes. I had Liz e-mail back and  
11 say get a copy of the local rules and we got a  
12 non sequitur e-mail back which basically said  
13 again how do we do this.

14 MR. MUISE: Your Honor, I just want to be  
15 clear. We've had nothing to do with the filing  
16 of these.

17 THE COURT: Oh, I'm not --

18 MR. MUISE: We're not trying to back-door  
19 anything. Understand, I just want to make it  
20 clear.

21 THE COURT: I'm not saying you did, and  
22 that's why I don't want to blow this around  
23 the courtroom and imply that you did. I don't  
24 believe that you did, I certainly understand  
25 that, but at the same time, you know, I'm not

1 going to have, you know, some rogue cavalry come  
2 riding in here at the last instant. We're not  
3 going to have that.

4 MR. GILLEN: Agreed, Your Honor.

5 THE COURT: All right. So we will start  
6 then with Dr. Nilsen tomorrow, and I want to say  
7 that before we break that everybody understands,  
8 we'll take the expert, interrupt his testimony  
9 by bringing in counsel for --

10 MR. MUISE: 2:00 tomorrow, Your Honor?

11 THE COURT: Yes.

12 MR. GILLEN: Thank you, judge.

13 (Discussion held off the record.)

14 (Side bar concluded at 4:18 p.m.)

15 THE COURT: All right, the purpose of the  
16 discussion at the side bar so that everybody  
17 understands was to talk about scheduling,  
18 because we've gotten ourselves, perhaps behind  
19 would be the wrong word, but we're a little bit  
20 out of order. We will have as you all know a  
21 shortened day because of some matters that I  
22 must attend to tomorrow in the morning and  
23 through lunch hour.

24 So we'll start at 2:00 p.m. tomorrow, and  
25 so that everybody is clear we will start with

1 superintendent Nilsen's testimony at 2:00 p.m.  
2 tomorrow, and by agreement of counsel it is  
3 possible that that testimony will be interrupted  
4 by a defense expert at some point on Friday,  
5 assuming that the testimony may not conclude  
6 tomorrow. We will have a full trial day on  
7 Friday. Anything else we need to put on the  
8 record before we adjourn for today? Hearing  
9 nothing, I thank you for your cooperation.  
10 We'll see you tomorrow, we'll see you at 2:00  
11 p.m. tomorrow.

12 (Court was adjourned at 4:19 p.m.)

13

14

15

16

17

18

19

20

21

22

23

24

25



1 Tammy Kitzmiller, et al. vs. Dover Schools

2 4:04-CV-02688

3 Trial Day 12, Afternoon Session

4 19 October 2005

5

6

7

8 I hereby certify that the proceedings

9 and evidence are contained fully and accurately

10 in the notes taken by me on the trial of the

11 above cause, and that this copy is a correct

12 transcript of the same.

13

14

15

16 s/ Wesley J. Armstrong

17 \_\_\_\_\_

18 Wesley J. Armstrong

19 Registered Merit Reporter

20

21

22 The foregoing certification of this

23 transcript does not apply to any reproduction

24 by any means unless under the direct control

25 and/or supervision of the certifying reporter.